

# TRANSCRIPT OF PROCEEDINGS

---

IN THE MATTER OF: )  
 )  
ADVISORY COMMITTEE ON )  
EVIDENCE RULES: )  
HEARING ON PROPOSED )  
AMENDMENTS TO RULE 609 AND )  
NEW RULE 707 )  
 )

Pages: 1 through 50  
Place: Washington, D.C.  
Date: January 15, 2026

---

**HERITAGE REPORTING CORPORATION**  
*Official Reporters*  
1150 Connecticut Avenue, N.W., Suite 305  
Washington, D.C. 20036  
(202) 628-4888  
[contracts@hrcreporters.com](mailto:contracts@hrcreporters.com)

1 ADMINISTRATIVE OFFICE OF THE U.S. COURTS

20 *Thursday,*  
21 *January 15, 2026*

23 The parties met remotely, pursuant to the notice,  
24  
25 at 10:05 a.m.

27 EVIDENCE RULES COMMITTEE ATTENDEES:

29 HONORABLE JESSE M. FURMAN, Chair  
30 PROF. DANIEL J. CAPRA, Reporter  
31 HONORABLE VALERIE E. CAPRONI  
32 JAMES P. COONEY, III, Esquire  
33 PROF. LIESA RICHTER  
34 HONORABLE EDMUND A. SARGUS, JR.  
35 ELIZABETH SHAPIRO, Esquire  
36 JOHN S. SIFFERT, Esquire  
37 HONORABLE RICHARD J. SULLIVAN

39 OTHER COMMITTEE AND JUDICIARY STAFF ATTENDEES

41 HONORABLE JAMES C. DEVER, III  
42 HONORABLE M. HANNAH LAUCK  
43 HONORABLE EDWARD M. MANSFIELD  
44 CAROLYN A. DURAY, Esquire

1 WITNESSES:

3 THOMAS ALLMAN  
4 JOHN BLUME  
5 ALEX DAHL  
6 JEANNINE KENNEY  
7 ROBERT LEVY  
8 JOSEPH ZAKI

## 9 P R O C E E D I N G S

10 (10:05 a.m.)

At today's hearing, we were supposed to hear from seven witnesses, but one dropped off this morning for scheduling reasons, so we will hear from six witnesses and look forward to hearing each of those witnesses' testimony. I also want to thank those who have submitted public comments on the two rules out for public comment and those who plan to do so before the end of the comment period on February 16. I would really encourage and invite comments since it's very

1       helpful to the Committee's consideration of these  
2       issues, and your input is a vital part of the  
3       rulemaking process.

4               For today's purposes, each of the six  
5       witnesses will have 10 minutes. I would leave some of  
6       that time for questions from members of the Committee.  
7       I would ask that you try to keep your remarks brief so  
8       that Committee members have time to ask those  
9       questions and also would ask that you listen to the  
10      comments, testimony of other witnesses so that you  
11      don't have to repeat things that others have said and  
12      you can just express your agreement. Carolyn Dubay  
13      from the Rules Committee staff and I will keep track  
14      of time and remind witnesses as needed, and we'll  
15      proceed from there.

16               A few technical matters. One, if you can  
17      mute yourselves, one of you is unmuted. Maybe that's  
18      you, Dan. That would be great.

19               PROF. CAPRA: Hopefully, that's me. Can you  
20      hear me?

21               CHAIR FURMAN: Yes, we can hear you.

22               PROF. CAPRA: Okay. Great. All right.

23               CHAIR FURMAN: But, if you can mute  
24      yourself, please do so and leave yourself muted until  
25      you're called upon to speak.

1                   Committee members, you're welcome to have  
2 your videos on throughout the hearing if you want, but  
3 keep yourselves muted as well when not speaking. If  
4 you wish to ask a question or make a comment, please  
5 either raise your hand in the screen or use the Raise  
6 Hand feature on Teams so that I can call on you. This  
7 hearing is being recorded, in part, I think, to help  
8 facilitate making a transcript which will be made  
9 publicly available on the Court website.

10                  It is obviously a public hearing. If you  
11 get disconnected, please use the original Teams link  
12 to rejoin or use the conference bridge number located  
13 at the bottom of the meeting invite to join by  
14 telephone. Without further ado, we will proceed with  
15 the witnesses, beginning with the first witness,  
16 Professor Blume, who I understand is on. I think all  
17 witnesses are on, but, Professor Blume, please  
18 proceed.

19                  MR. BLUME: Okay. So my name is John Blume,  
20 and I'm the Samuel F. Leibowitz Professor of Trial  
21 Techniques at Cornell Law School. I appear today in  
22 my individual capacity and also as a member of the  
23 Coalition for Prior Conviction Impeachment Reform,  
24 which is basically a group of law professors who are  
25 interested in the fairness of evidence laws in general

1       but Rule 609 in particular. We're here to support the  
2       proposal. It's modest, but we believe it's an  
3       important first step.

4               There are a number of problems with 609, but  
5       given the time available, I'm going to focus on just  
6       one. Rule 609 in its current form, as it's currently  
7       applied, has the effect of silencing criminal  
8       defendants, even those who are factually innocent.

9       Okay. So how do I know that? Well, so more than a  
10      decade ago, I did an empirical study to test the  
11      conventional wisdom that goes something like this. If  
12      it were me and I were accused of a crime and I didn't  
13      commit it, I put my hand on the Bible, I'd swear to  
14      tell the truth, I'd look the jury in the eye, and I  
15      tell everybody that I didn't do it.

16              So, in the study, what I did was I looked at  
17      the cases of 152 people who we know have been  
18      wrongfully convicted of crimes they didn't commit, the  
19      people who were exonerated by DNA evidence, and I  
20      decided to look and see what did these people testify.  
21      Did they, in fact, people we know they testified. And  
22      so I went out and I gathered the transcripts. I  
23      interviewed the defendants when I could. I  
24      interviewed the trial lawyers when I could. I got  
25      court records, and what did I find?

1                   Okay. Well, criminal defendants in general  
2 testified in a little less than half the cases. Those  
3 without prior convictions testify a little more, about  
4 60 percent of the time. Of the innocent defendants,  
5 the people we know were wrongfully convicted, 42  
6 percent of those people had prior convictions, which  
7 is probably the reason, the round up the usual  
8 suspects problem, how they maybe got caught up in this  
9 thing to begin with. Of those people that had prior  
10 convictions, 91 percent did not testify at their  
11 trials.

12                  Why didn't they testify? Because they were  
13 advised by their lawyers not to because of the prior  
14 conviction, and they were told that the lawyers  
15 believed even after the fact that, look, despite what  
16 the judge is going to tell them, if the impeachment  
17 comes in, the jury is going to draw the propensity  
18 inference and they're going to believe that if you  
19 committed that crime, then you likely committed this  
20 crime.

21                  But the study also revealed that the rules  
22 of evidence matter. For example, in the small handful  
23 of states that don't have 609 or an equivalent, all  
24 the innocent defendants testified. The numbers are  
25 small, but they all testified. In the states with 609

1       equivalents that in every single case where the  
2       innocent defendant did testify, the judge did allow  
3       the prosecutor to impeach that person, so they  
4       exercised -- now some of these were crimes which would  
5       have been automatically impeachable because they were  
6       a crime of false statement, but most of them weren't.  
7       In every single case, the judge exercised their  
8       discretion in favor of allowing the prosecutor to  
9       impeach that.

10                   So the net bottom line of all this is that  
11       609 and its state counterparts, trials are losing  
12       highly probative evidence that might have spared  
13       innocent defendants from being wrongfully convicted  
14       and, in many cases, years of unjust imprisonment for  
15       crimes they didn't commit.

16                   Will the proposed amendment to 609 fix all  
17       the problems that we believe are present in the rule?  
18       No, it won't, but making the balancing test at least  
19       somewhat more difficult for the prosecution to satisfy  
20       by adding the term "substantially" to the probative  
21       value prejudice calculus will, I think, and we think,  
22       lead to more defendants deciding to testify and  
23       minimize at least to some degree the silencing of  
24       criminal defendants generally and innocent ones  
25       particularly. Thank you. Those are my remarks. I'll

1       be happy to answer any questions that anyone has.

2                   CHAIR FURMAN: Thank you very much,

3       Professor Blume.

4                   Members of the Committee, anyone have any  
5       questions for Professor Blume? Use the --

6                   PROF. CAPRA: May I? May I, Judge?

7                   CHAIR FURMAN: Yeah. Go ahead, Professor  
8       Capra.

9                   PROF. CAPRA: Professor Blume, thanks for  
10      this very much. One question that was asked while  
11      this was going through is, if you add the  
12      "substantially," will there be cases in which  
13      convictions and maybe, you know, based on a priori  
14      views of this, but convictions that would be actually  
15      correctly admitted will no longer be so?

16                  MR. BLUME: Well, I mean, I guess I'm not  
17      sure what you mean by correctly. I think that, you  
18      know, so --

19                  PROF. CAPRA: I know, when I said correctly,  
20      it's a value judgment, but ones that would be properly  
21      admitted under the test today if you assume that there  
22      is a body of those, that it will be a smaller body,  
23      and I just want to know what your thoughts are. I  
24      have my own thoughts about it and I wrote about it in  
25      the memo, but I just didn't know what your thoughts

1       would be.

2                    MR. BLUME: Well, I think, you know, the  
3       question I think would be how much work would  
4       "substantially" do in the calculus.

5                    PROF. CAPRA: Yeah.

6                    MR. BLUME: So it would add something to the  
7       equation. It would be a little closer maybe to 403,  
8       which judges are a little more used to applying in  
9       some cases excluding evidence, so I think the hope  
10      would be that, I mean, obviously, a judge could still  
11      exercise their discretion and find that the probative  
12      value substantially outweighed, that it wouldn't  
13      eliminate that. I think the hope is, though, that  
14      that word would at least lead to at least some judges  
15      exercising their discretion not to allow impeachment  
16      and thus allowing defendants to testify and the jury  
17      to hear from the defendant, and there probably are  
18      other matters they might be impeached upon in other  
19      cases for that, but this, I think, would be a modest  
20      but important first step.

21                   PROF. CAPRA: Thank you.

22                   CHAIR FURMAN: All right. Thank you,  
23       Professor Blume. Unless there are further questions,  
24       thank you for sharing your comments, and we'll move to  
25       the next witness, who is -- I think the remaining

1       witnesses are all planning to testify with respect to  
2       the proposed new rule, 707, and we'll begin with  
3       Thomas Allman. Mr. Allman?

4                    MR. ALLMAN: Yeah. Thank you, Your Honor.  
5       First, let me pay tribute to the excellent preparation  
6       that your Reporter has done in his memoranda. I found  
7       them to be fascinating and very helpful. I'm  
8       testifying here today mainly as one who has been  
9       burned once before by supporting a rule that protected  
10      against sanctions which would occur in a situation  
11      that never occurred, and I therefore have three points  
12      to make, the first one being that it's premature. I  
13      do not believe that you have demonstrated or that the  
14      case law demonstrates that there is, in fact, a need  
15      for the Rule 707 as it's currently written.

16                   I've given you an excellent quote from one  
17      of the articles that your Reporter also commented on  
18      in which he pointed out that it would be foolish on  
19      the part of someone to try to sneak in a Gemini or a  
20      ChatGPT piece of information without having an expert  
21      connected with it. So I really don't think that there  
22      is a need, a pressing need, at this time to go forward  
23      with your proposal.

24                   In addition, I'm really quite impressed with  
25      how well the courts seem to be doing without having

1 any specific rule on this topic, although I must say,  
2 having searched diligently at least as a retired guy  
3 best can do relying on Westlaw, I can't find any cases  
4 where anybody really has tried this, with the possible  
5 exception of the Weber case from the State of New  
6 York, which I would recommend everyone read and think  
7 about because the court there really put it back to  
8 the AI ChatGPT and learned from them that it could not  
9 be reliable and it was not admissible.

10 My second point is that I believe that 702  
11 really should be allowed to stand on its own and that  
12 707 should not link to 702, and I say that because,  
13 really, 702, while you could have, and I understood  
14 you rejected the idea, you could have amended 702 to  
15 cover this subject, you chose not to do so, and I  
16 would recommend that you keep 702 separate, and I  
17 really don't think that the language of 702 really  
18 fits into what the underlying problem is that we're  
19 all afraid of here, and that is generative AI creating  
20 things that were not anticipated and certainly were  
21 not planned for.

22 So my third point is that in addition to  
23 keeping 707 separate, if you decide to go forward with  
24 707, I believe that you should focus on where the real  
25 need is, and the need is how to handle the

1       explainability issue. When the people who put  
2       together the logarithms and other mechanisms that  
3       allow ChatGPT and Gemini and the others to create new  
4       information, when they can't explain to you as the  
5       gatekeeper or to the jury as the ultimate fact finder,  
6       I really question whether or not that evidence should  
7       be admitted at all.

8                   And I have pointed out in my written  
9       testimony that there are hints of that in both an  
10       excellent piece that was in the *Marquette Law Review*  
11       and also in your own Reporter's notes, there is a hint  
12       that maybe a rule that regulates the reliability and  
13       has a presumption of inadmissibility unless they can  
14       be credibly explained. Thank you. Those are my three  
15       points. I'll be glad to elaborate on any of them.

16                   CHAIR FURMAN: Thank you, Mr. Allman. Let  
17       me start first on the first point. I think that's  
18       certainly a valid point and something the Committee  
19       has grappled with, and I think reasonable minds could  
20       disagree about whether we're properly getting ahead of  
21       a problem or not, and some of it is the tension  
22       between how fast technology is moving and how slow the  
23       rulemaking process is, but the Committee will  
24       definitely have to take that under advisement.

25                   I want to clarify as to your second point

1       about 702 and 707. As you know, we are not proposing  
2       to amend 702 and for two reasons. I think principally  
3       one is it was amended relatively recently, and we tend  
4       to avoid wanting to amend rules, you know, repeatedly.  
5       The second is it's a rule of general applicability and  
6       it would require a wholesale rewrite. Then it's a  
7       rule that is frequently applied. So I guess I don't  
8       quite understand what your suggestion is since we  
9       aren't proposing to change 702 but rather reference  
10       it, you know, incorporate it by reference, if you  
11       will, in 707. Is it that that you think is a bad  
12       idea? Can you clarify?

13                    MR. ALLMAN: Yes. Yes, I do not believe  
14        that the issues that you need to face with this new  
15        world are those that embrace every form of machine-  
16        generated learning, so I think that as currently  
17        written, 707 is far too broad and, therefore, I think  
18        it should be trimmed back down to what really counts  
19        and should not be linked over to 702, which has its  
20        own very fine and useful Daubert-related test, and I  
21        am really confident that you folks can draft a rule in  
22        a new 707, if you feel it has to be done, that will  
23        deal with the really tough parts of the machine  
24        learning and the abuses that are risked by it.

25                    PROF. CAPRA: What do you then, though, if I

1 may ask, Tom? What do you do then if an expert  
2 testifies on the basis and not maybe completely but on  
3 part of the basis of machine learning and, therefore,  
4 would be regulated under 702, whereas somebody seeks  
5 to admit machine learning without an expert and would  
6 be regulated under 707? How does that work? Aren't  
7 they an option? Shouldn't there be similar standards  
8 for both or actually identical standards for both?

18 PROF. CAPRA: And yet today, even things are  
19 happening, like in criminal cases, facial recognition  
20 data is introduced by the police officer who pressed  
21 the button, and, seemingly, that's being done now.  
22 There's actually reported cases in that respect. So  
23 maybe they're fools, but it seems to be working.

24 MR. ALLMAN: No, no, I'm quite in favor of  
25 that, and I think we should not overlook the

1       possibility of taking judicial notice of the  
2       acceptability of these things as proven by time. You  
3       have that authority to do that, and that's why I'm  
4       saying that if you're going to write a new 707, it  
5       should be trimmed back and focus only on where the  
6       really problems are, and I agree with you that in the  
7       forensic world, there's a lot of tests out there which  
8       are acceptable and reliable and don't require, maybe  
9       don't even require much more than the introductory  
10      experts that we see in so many of these cases.

11           PROF. CAPRA: Well, I guess -- well, all  
12       right. Well, that's what I would disagree with. It  
13       seems to me that facial recognition technology does  
14       need to be explained, but I'm sorry, I just didn't --  
15       I'm sorry if you misunderstood my point.

16           MR. ALLMAN: No, no. I'm sorry I wasn't  
17       clear.

18           CHAIR FURMAN: Let me interject and see if  
19       any other members of the Committee have any questions  
20       for Mr. Allman. Yes, John Siffert?

21           MR. SIFFERT: Thank you. My question is I  
22       assume you've looked at the other comments that have  
23       been submitted by other people because I think there's  
24       some overlap. You don't seem to address some of them  
25       expressly, but what I'm hearing is that there is some

1       objection to treating agglomerations, compilations of  
2       data, that would come within the proposed 707 without  
3       much difficulty, and those where there are opinions or  
4       predictions involving algorithms, that that is the  
5       area where I think I'm hearing the folks who are  
6       talking making a distinction. Do I have that right?

7                    MR. ALLMAN: Yes, you do, and let me just --  
8       I didn't want to bog down on this, but just I want to  
9       say that when you get a chance to hear Jeannine later,  
10      you're going to find that I think she believes pretty  
11      much like I do that it's premature to move forward,  
12      and I want to also highlight that both lawyers for  
13      Civil Justice and Robert Levy make the important point  
14      that there is a lot of machine-generated things, such  
15      as Excel and so on, that really don't need to be  
16      subject to a special rule, and that's why I say that  
17      if you're going to write a rule, it ought to be  
18      trimmed back down to the things that really have a  
19      problem with them.

20                  MR. SIFFERT: That's what I want to  
21       understand, is that the objection with respect to  
22       the -- that I'm hearing, and I don't mean to preempt  
23       others, but I don't want to just have you talk and  
24       someone else and not have you address it. The areas  
25       where I think people are saying there is no objection

1 to a rule either because it already exists and things  
2 work or because this rule would be fine when it's just  
3 a compilation as opposed to where there are algorithms  
4 and opinions involved, and am I correct that you're  
5 not objecting to Rule 707 insofar as the proffered  
6 machine-learning evidence or machine evidence or  
7 someone had a different characterization of it is of  
8 that nature, that Rule 707 as drafted works just fine  
9 for the aggregation?

10 MR. ALLMAN: I'm not sure I agree with that.  
11 I think what I'm trying to say is that it's not  
12 needed, that the tools are already there for the  
13 judges to deal with that problem. And I'm really -- I  
14 was burned by Rule 37(e), which I spent many years of  
15 my life working on, and we overdid it. We jumped the  
16 gun in 2006 and we wrote something without totally  
17 anticipating where we were going, and we had to redo  
18 it at the 2010 conference and then later in the 2015  
19 amendments, and I'd like to help you folks avoid that  
20 trap.

21 CHAIR FURMAN: All right. Thank you, Mr.  
22 Allman. I want to keep things moving, and as you  
23 noted, some of the later witnesses, I think, echo some  
24 of your comments, so let's move on to the next  
25 witness. Thank you very much for sharing your

1 thoughts. The next witness is the one who dropped  
2 out, Ms. D'Agostino, so we'll proceed to Alex Dahl  
3 from Lawyers for Civil Justice. Mr. Dahl, are you on?

4 MR. DAHL: Yes. Good morning.

5 CHAIR FURMAN: Excellent. Please proceed.

6 MR. DAHL: Thank you. Thank you, Judge  
7 Furman, and thank you to all members of the Rules  
8 Committee for allowing me to speak. My name is Alex  
9 Dahl, and I'm the General Counsel of Lawyers for Civil  
10 Justice. I think the Committee is correct to try to  
11 get ahead of what is almost certainly an impending  
12 issue in the courts about the admissibility of  
13 machine-generated evidence, as you phrase it, but my  
14 view is that the current draft should not be adopted  
15 and the Committee should continue to work on  
16 fashioning a rule.

17 First off, I think the rule should be a  
18 stand-alone customized rule for the discrete purpose  
19 that the Committee is intending rather than as a  
20 cross-reference to 702. There's several reasons why.  
21 Number one is that the language of 702 is about human  
22 beings who are acting as expert witnesses giving  
23 testimony. Those words are all in the rule. They  
24 talk about the knowledge of the expert, the testimony.  
25 And what this cross-reference does in the proposed

1 rule is it makes every reader responsible for  
2 interpolating those words into the area of machine-  
3 generated evidence. I think the rule should be that  
4 interpolation rather than should require that  
5 interpolation.

6 I think the Committee has the ability to  
7 write a rule with specific provisions about machine-  
8 generated evidence and should do so, including I  
9 mentioned in the written comment several categories,  
10 training, data validation, reliability, error rates,  
11 explicability, the proprietary nature of the system.  
12 I think that all of those things and probably more  
13 that the Committee's already thought about should be  
14 in the rule.

15 Another reason it should be its own rule,  
16 you were just talking about the standards. It seems  
17 that the standards should be higher than Rule 702.  
18 The Committee has made it clear that the intent of  
19 this is to make it difficult if not impossible to meet  
20 the standards. Well, that's not true of 702, but  
21 you're trying to do it by a cross-reference to 702.  
22 There's a good reason why the standards should be  
23 higher and that's because machines can't be cross-  
24 examined. That's the fundamental problem that the  
25 evidence rules need to confront in this case, in this

1       topic.

2               I think that the reliability of AI  
3       technology is not sufficiently understood to  
4       contemplate at this point admissibility without an  
5       expert, and so that standard needs to be higher than  
6       the expert. Also, writing the rule in that way would  
7       avoid creating an incentive to try to get the evidence  
8       in without an expert, which I think the Committee  
9       intent is in line with.

10               And, finally, I'd say that the unexplainable  
11       problem really should be clear in the evidence rules  
12       that unexplainable results are just not admissible.  
13       You know, maybe someday, you know, my understanding,  
14       the technology is advancing toward where it may well  
15       be able to explain, and that would be beneficial for  
16       evidence, but in the meantime, you know, under today's  
17       Rule 702, there's no way a court would admit the  
18       expert testimony of someone who just says I don't know  
19       how I got to my conclusion. That would be completely  
20       inadequate, so I don't know why a rule would  
21       contemplate doing that for a machine.

22               PROF. CAPRA: May I interrupt for a second  
23       about that point, Mr. Dahl?

24               MR. DAHL: Sure.

25               PROF. CAPRA: But I think today a judge

1       could say, well, I don't know how you come to that  
2       conclusion, but I see that there's a lot of  
3       corroborating evidence which indicates that what you  
4       say is valid, and there's a lot of training data which  
5       indicates what you say is valid, and there's a lot  
6       of -- you know, you can demonstrate objectively a low  
7       rate of error, so maybe I don't know exactly how you  
8       did it because that's experience-based experts as  
9       well, and yet we admit them kind of on a case-by-case  
10      basis, so I don't know that it's necessarily true that  
11      everything has to be completely explainable under 702.

12            MR. DAHL: Professor, you know a lot more  
13        about the case law than I do, so I concede the point.  
14        However, my point is reading the language of the rule,  
15        702 requires that the testimony be based on sufficient  
16        facts and data, the product of reliable principles and  
17        methods and the reliable application of those. I  
18        would imagine that an expert being proffered who says  
19        "I don't know how I came to my conclusion" would run  
20        afoul of those ideas, that the opinion has to be based  
21        on facts and principles and an application of those.

22            CHAIR FURMAN: Let me jump in and ask a  
23        question on your first point, namely that the rule  
24        shouldn't incorporate by reference the 702(a) through  
25        (d) standards and should sort of articulate its own.

1 MR. DAHL: Yes.

2 CHAIR FURMAN: I think two potential  
3 responses to that. One is, you know, the 702  
4 standards are very familiar to judges and litigants,  
5 and so, while maybe they don't fit perfectly, I think,  
6 you know, the Committee was of the view that the need  
7 for, you know, application -- that the disadvantages  
8 of sort of need to translate for machines were  
9 outweighed by the advantages of familiarity. That's  
10 one point.

11 The second is the point that Dan made in  
12 response to Mr. Allman, which is, you know, this rule  
13 is intended to apply when there's machine-generated  
14 evidence offered without an expert testifying in the  
15 case like Weber, you know, where an expert is  
16 testifying and seeking to introduce machine-generated  
17 evidence. That could be subject to standard analysis  
18 under 702, so what do you do? If that's correct, then  
19 you have 702 that applies when you have an expert, 707  
20 that applies when you don't have an expert, and if you  
21 have different standards, then, in theory, they, you  
22 know, could result in sort of different outcomes for  
23 the same evidence, which doesn't seem like it would  
24 make sense. So what's your response to that?

25 MR. DAHL: Sure. Let me start if I may with

1 what I think is kind of the rulemaking principle,  
2 which is I honor highly the idea that you should not  
3 amend the rules too frequently or change them, and  
4 you've just amended 702. I think there are a lot of  
5 reasons not to amend 702, but what I would suggest to  
6 you is that this cross-reference is taking almost the  
7 same risk that you're trying to avoid of affecting  
8 Rule 702. You know, what you just said, that courts  
9 and parties are going to go to the proposed 707 for a  
10 different question. Should we admit this evidence  
11 without an expert?

12 And you're referring them to the standards  
13 for admission of expert testimony, and what's going to  
14 happen is that case law is going to develop, but that  
15 case law is going to be interpretations of Rule 702,  
16 and so someday you're going to come back to, you know,  
17 how is 707 working? And I think what you're probably  
18 going to find is that the case law is interpreting 702  
19 to make it work in this other context and you've  
20 caused the very problem that you're trying to avoid,  
21 which is affecting the case law and the meaning of  
22 Rule 702 in this other context. So I think that a new  
23 stand-alone rule is the answer.

24 Now, to your first point, you talked about,  
25 you know, I guess my point about extrapolation. Let's

1 just look at 702(a) as an example, refers to the  
2 expert's scientific, technical, and specialized  
3 knowledge, so you're asking every reader, every court,  
4 every party to think about the knowledge of the  
5 machine, the algorithm, and, you know, my  
6 understanding is that some of these processes we're  
7 trying to get at, they have read every sentence that  
8 has ever been written in history. How do you take  
9 that and say, well, is the expert's knowledge helpful  
10 in this case? I mean, it's just a different idea than  
11 looking at whether the expert -- what the expert is  
12 bringing to the table is relevant.

13 I see you looking at your watch. I want to  
14 make one final point, and that is that I think that  
15 the rule ought to focus on machine opinions. I'm not  
16 so married to the word other than I don't know what  
17 else to say. I think what your Committee is  
18 struggling with is the differentiation between what is  
19 new and what is not new. It is not new to have  
20 machine-generated evidence. You know, all of the  
21 examples that you've raised in the Committee  
22 discussion are applicable.

23 What's new is that the machines are actually  
24 getting into what we call opinions if they were human,  
25 and there isn't a word for that, I guess, but I think

1       that the utility of using that word is that it  
2       communicates to the reader, the courts and parties,  
3       that we're talking about something different here than  
4       a thermometer or a spreadsheet or a calculator. We're  
5       talking about when a machine is going to opine on, you  
6       know, the ultimate issue in the case, which is the  
7       whole reason for all of the 700s, right, that that is  
8       a different thing than a witness, and what is new here  
9       is that now machines may be able to do that or are  
10      able to do that and that should be contemplated with  
11      admissibility standards.

12           So I think that using that word or its  
13       equivalent, maybe conclusions or something, would  
14       suffice, but opinions is what you're getting at, and  
15       that's what that chapter of the rules gets at, and  
16       that's the concept that I think the rule needs to  
17       communicate.

18           I also think, you know, one other benefit is  
19       that if you use that word, opinions or something  
20       equivalent, it would allow you to delete the sentence  
21       about simple scientific instruments. In my view,  
22       writing the disclaimer of simple scientific  
23       instruments is going to be a lightning rod that draws  
24       the very problem that you're trying to avoid by  
25       putting it in there. It is going to open up lots of

1       settled case law on other instruments because just  
2       machine-generated evidence doesn't make clear that  
3       you're getting to this new thing, the opinion idea.

4                   CHAIR FURMAN: All right. Let me cut you  
5       off, Mr. Dahl, especially since you submitted a  
6       lengthy and thorough and very helpful comment for  
7       which we thank you.

8                   PROF. CAPRA: I'm sorry, Judge, I do have a  
9       question if I can just quickly?

10                  CHAIR FURMAN: Yeah, I was going to just see  
11       if anyone had any quick questions for Mr. Dahl before  
12       we move forward, but go ahead, Professor Capra.

13                  PROF. CAPRA: I take it that you disagree  
14       with Mr. Allman that our rule is not timely?

15                  MR. DAHL: I never disagree with Tom Allman,  
16       but --

17                  PROF. CAPRA: But you seemed to at the very  
18       beginning. You said that now is the time to write a  
19       rule.

20                  MR. DAHL: Yes.

21                  PROF. CAPRA: Okay. Thanks.

22                  MR. DAHL: Well, yes, I suppose that's  
23       correct. This is how I would nuance it, is that I  
24       think that this is going to be an issue and the  
25       Committee is right to focus on it. I think I agree

1 with Tom that it is not so imminent today that any  
2 rule is better than no rule. I think the Committee  
3 has time to get it right. I think the case law that  
4 will develop in the meantime will be helpful to the  
5 Committee to understand both the technology and how  
6 the issues are going to be presented in courts. It'll  
7 make a better rule if you spend more time getting it  
8 right.

9 CHAIR FURMAN: All right. Any other  
10 questions from members of the Committee?

11 (No response.)

12 CHAIR FURMAN: Great. Mr. Dahl, thank you  
13 very much, and, again, thank you for your thorough and  
14 helpful comment. We appreciate it.

15 MR. DAHL: Thank you.

16 CHAIR FURMAN: And we will proceed with the  
17 next witness, Jeannine Kenney from Hausfeld. Ms.  
18 Kenney?

19 MS. KENNEY: Thank you so much. Hello,  
20 everyone. I am a litigator at Hausfeld, LLP. We are  
21 predominantly a plaintiffs' class action firm and we  
22 do predominantly antitrust cases. We have other  
23 practice areas, but I would say that's probably what  
24 we're known for, and we're fairly large for our size,  
25 and we have a global competition practice as well,

1 with a presence in several countries.

2 All of our cases involve expert evidence. I  
3 can't think of a single one, regardless of the nature  
4 of the practice area, that hasn't involved experts,  
5 and, you know, we have our own, and the defense have  
6 theirs and we challenge them, and that's a huge  
7 component, particularly of antitrust cases, where we  
8 rely on economic experts and experts in industrial  
9 organization, often industry, subject matter experts.

10 So, you know, from the practical standpoint  
11 of a litigator, I just want to echo from personal  
12 experience the point that Tom Allman made, which is  
13 it's hard for me to conceive of a litigator taking the  
14 approach of just trying to introduce machine, at least  
15 in the civil context, trying to introduce machine-  
16 generated evidence without an expert because you  
17 always want an expert to give context to that opinion,  
18 someone who is well-credentialed, someone who  
19 generates trust, and, hopefully, someone who is  
20 personable and that the jury really likes and credits  
21 so that they give that output weight, whether it's,  
22 you know, economic opinion or, you know, some other  
23 type of opinion.

24 We always want a human to vouch for that  
25 evidence, whether it's, you know, the result of a

1 multi-variant regression analysis or something else,  
2 and so I think it would be a poor litigation strategy,  
3 indeed, to try to do this, and I think that's probably  
4 why, at least in the civil context, you don't see it  
5 much. I also think that most of us don't think you  
6 could do it for the reasons I outline in my comments  
7 because we don't think you could get it authenticated,  
8 and I do share, and you might never have thought you'd  
9 hear a plaintiffs' lawyer say they agree with LCJ, but  
10 I do agree with LCJ's concern that particularly in the  
11 context of rules that, at least to me, say you can't  
12 do this, that the new rule may create a pathway, so I  
13 share that concern.

14 And as I mentioned, you know, fairly  
15 extensively in my comments, I do think the  
16 authentication rules are sufficient, and I know the  
17 Committee has considered them and thinks they're not,  
18 but let me just give you my quick 30-second view on  
19 this. Authentication in the case of computer output  
20 requires a statement of accuracy from an affiant or  
21 the authenticating witness on the stand, and in the  
22 case of AI, that's always going to be an opinion. It  
23 can't be based on personal knowledge, and so any  
24 litigator worth their salt is going to challenge that  
25 because there are notice requirements, of course, is

1       going to challenge that under 702, and I think it's  
2       going to automatically trigger a Daubert challenge.

3               I also question whether, because AI-  
4       generated output, at least to my knowledge, at least  
5       right now, is never a hundred percent accurate,  
6       whether you could ever actually attest to the  
7       accuracy, right? I mean, 902(13), you know, like, I  
8       can attest to the accuracy of my database output  
9       because I know how it was entered and I know what the  
10      input was, right, and it's just a plug and chug.

11              Someone testifying to the accuracy of AI  
12       output really can't do that. I mean, that's an  
13       opinion, and that would require scientific expertise,  
14       and because it can't be a hundred percent accurate, I  
15       don't think you can ever get it in under 902 or 901,  
16       you know, and I have sort of expanded on those  
17       thoughts in my comments.

18              And, lastly, you know, you've heard a lot of  
19       comments already and I know others have commented in  
20       writing about the breadth of the rule and I don't want  
21       to restate those points, but I do want to reiterate a  
22       concern that I raised in the comments that I think is  
23       a very, very real one, particularly in the context of  
24       civil litigation, which is this rule, even if you  
25       limited it to machine opinion, as LCJ suggests, or AI-

1 generated output, which I think you should,  
2 absolutely, it still doesn't address how the evidence  
3 is being used and the purpose for which it was  
4 generated.

5                   And at least the way I interpret the  
6 Committee's deliberations over the last two years is  
7 that there really -- it seems like you're really  
8 concerned about when somebody says, all right, I'm  
9 just going to run these -- as I'm litigating, right,  
10 I'm going to run these inputs and I'm going to get a  
11 favorable opinion and I'm going to use that at trial  
12 as opposed to evidence, AI-generated evidence that  
13 existed prior to the litigation and which is merely  
14 evidence in the case, and in that latter case, we  
15 shouldn't have to abide by a rule that requires us to  
16 prove the accuracy of our opponent's own output where  
17 it's admissible, for example, as a business record,  
18 and particularly in the antitrust case, algorithmic  
19 price-fixing is sort of the new thing, sort of the new  
20 area of litigation.

21                   And so these outputs are not only sometimes  
22 the very topic of litigation but very often are  
23 relevant to the litigation. Many businesses, in our  
24 experience, in the cases that we litigated, a lot of  
25 businesses are using algorithms to predict demand and,

1 therefore, to project what their prices and supply  
2 should be and so forth. You may not even be offering  
3 this evidence for the truth, and the rule still  
4 encompasses it because of the way it's written, so --

5 PROF. CAPRA: I'm sorry, that's not true. I  
6 mean, the rule doesn't encompass that. It encompasses  
7 testimony that's the equivalent of expert testimony,  
8 which is not what you're talking about. You're  
9 talking about testimony that's substantive in the  
10 case, right, or evidence that's substantive in the  
11 case.

12 MS. KENNEY: But it doesn't -- but the  
13 rule -- I don't think the rule makes that distinction  
14 when -- it's whether the output mimics --

15 PROF. CAPRA: Oh, it clearly does because it  
16 relies on --

17 MS. KENNEY: May I finish?

18 PROF. CAPRA: Sorry. Yes. Sure.

19 MS. KENNEY: It's whether the output mimics  
20 an expert opinion, not the purpose for which it's  
21 being offered, right? And that's the distinction I  
22 think the Committee has to make, right? If I am  
23 introducing my opponent's AI-generated price  
24 predictions, right, that still is -- those price  
25 predictions are still -- is still an output that if

1       testified to by a witness would require, you know,  
2       would be very expert-like, right, I mean?

3               And so I think there's probably a way to  
4       deal with it, and I've suggested -- I've made some  
5       suggestions in my comments, but I think it's a real  
6       problem that you have to -- if you're going to do any  
7       rule, and I don't think you need to do one yet. If  
8       you're going to do any rule, you really have to hone  
9       in on, you know, who generated it, why did they  
10      generate it, when was it generated, and how is it  
11      intended to be used so that you aren't including  
12      ordinary evidence, which I know, based on the  
13      Committee's discussion, is really not the intent, but  
14      I believe it is captured, and even if the Committee  
15      doesn't intend to capture it, I can guarantee you this  
16      will result in litigation arguing that very thing.  
17      That's sort of the gist of my views if there are any  
18      questions.

19               CHAIR FURMAN: Thank you, Ms. Kenney. Any  
20      questions from members of the Committee?

21               (No response.)

22               CHAIR FURMAN: All right. Thank you very  
23      much, Ms. Kenney. I'm grateful for your input. The  
24      next witness is Robert Levy from Exxon Mobil. Mr.  
25      Levy?

1                   MR. LEVY: Yes, thank you so much. I  
2 appreciate the opportunity to speak with you. My name  
3 is Robert Levy.

4                   PROF. CAPRA: Can you hear that?

5                   MR. LEVY: Can you hear me?

6                   MALE VOICE: I'm having trouble hearing him.

7                   MR. LEVY: Let me speak more loudly. I  
8 apologize for that. Is this better?

9                   CHAIR FURMAN: Yeah, you're definitely  
10 better when you're closer, so keep your voice up and  
11 closer to the mic, please.

12                  MR. LEVY: Okay. Thank you. My name is  
13 Robert Levy, and I am Executive Counsel at Exxon Mobil  
14 Corporation, and along with Tom Allman, I've been a  
15 follower of the rules process for many years and  
16 appreciate the chance to speak with you about proposed  
17 Rule 707. The issue that I see as one of the  
18 challenges with this rule as drafted is really  
19 exemplified by some of the questions that were  
20 discussed earlier, including the discussion about  
21 machine-generated algorithms.

22                  The challenge with the rule as it is worded  
23 is there's no clarity in terms of what would  
24 constitute an output from a machine that would require  
25 707 incorporating 702 into consideration. The

1       concerns that I wanted to discuss with you is the fact  
2       that in corporate America we deal with technology  
3       obviously all the time. We are now communicating on a  
4       technology platform called Teams. Teams generates  
5       data to enable us to communicate, and that can include  
6       the visual and audio. It also will include the  
7       recording of this session, and that is machine-  
8       generated output.

9               The question becomes what part of that  
10       machine-generated output is information that will  
11       require being proven up under a 702 analysis, and the  
12       rule does not provide any, as I see it, sufficient  
13       clarity to understand that. The concern is that this  
14       rule would then be used to try to challenge the  
15       introduction of what otherwise would be considered  
16       business records, information that is machine-  
17       generated that is produced in the regular course of a  
18       company's business. If some of that data is  
19       conclusory or developed through algorithms or other  
20       applications that include what might be considered  
21       artificial intelligence, whether that is machine  
22       learning or otherwise, will create uncertainty and the  
23       potential that people will try to object to that data  
24       because of the language of Rule 707.

25               There are some issues that 707 does get

1 right, and I do want to point that out. The concern  
2 is, and I think Alex Dahl spoke to this, that there  
3 are going to be, as the rule identifies, situations  
4 where parties might try to use conclusory types of  
5 opinions that summarize and analyze various pieces of  
6 information. It could be medical reports, it could be  
7 accounting reports, it could be data from the  
8 operation of an oil and gas well and providing  
9 conclusion, conclusory types of considerations that  
10 arguably are opinions. Although, obviously, it is  
11 challenging to characterize machine output or  
12 artificial intelligence output as opinions, it is the  
13 result of the tool using its large language model  
14 technology to provide what it thinks is the best  
15 answer even though it doesn't have opinions per se.

16 The other issues deal with some of the  
17 ambiguities in terms of the way the rule is drafted.  
18 The use of the term "machine-generated" is not the  
19 type of term of art that I think that people in the  
20 technology world are going to really understand or be  
21 able to identify what is and is not machine-generated.  
22 I also think that the carve-out that the current rule  
23 has is also of concern because there are certain items  
24 that are accepted. A thermometer is accepted as  
25 generally accurate, but it depends on the thermometer

1       in terms of how accurate it is, and so there are going  
2       to be questions even at that level.

3               Another point I wanted to address is that  
4       the language of the rule I think should be more  
5       specific in terms of how it is trying to address the  
6       situation where the use of technology to summarize or,  
7       in effect, opine about data versus just making the  
8       reference to machine-generated information in 707, you  
9       understand obviously that the 7 series deals with  
10      expert opinions, but the language of the rules as I  
11      understand it or as I see it does not necessarily make  
12      that specifically clear so that if somebody sees Rule  
13      707, they might interpret that to apply to all  
14      machine-generated testimony and that, therefore, to  
15      introduce that testimony, you will have to have a  
16      separate witness that can go into the detail of how  
17      that information was derived and the reliability of  
18      the underlying computing systems, and that I want to  
19      emphasize is an extraordinarily impossible task for  
20      most --

21               PROF. CAPRA: So I'm sorry. Can I interrupt  
22      you?

23               MR. LEVY: Please.

24               PROF. CAPRA: So you're saying that the  
25      heading could create some misdirection, that the

1 heading should be altered in some way to make it more  
2 specific to the problem that the rule is intending to  
3 address?

4 MR. LEVY: Yes. Yes, Professor Capra.

5 PROF. CAPRA: Thanks. I get it.

6 MR. LEVY: Yeah.

7 PROF. CAPRA: Okay.

8 MR. LEVY: And one other issue that I want  
9 to point out, and I don't want to be duplicative, but  
10 the reference back to 702, I think, creates some  
11 potential problems because, as I read 702, it's  
12 written with the context of human experts, and  
13 incorporating the 707 concept of machine-generated  
14 information and applying the 702 human, for lack of a  
15 better term, factors that need to be considered to  
16 determine whether it's admissible is not always going  
17 to work well.

18 And, additionally, another point is that  
19 under Rule 705 also has to be brought into play  
20 because, if you incorporate 702, then you also are, by  
21 reference, incorporating Rule 705, and the concern  
22 there is that 705 is going to create an even more  
23 difficult challenge for a party that seeks to  
24 introduce general business record-type data that is  
25 machine-generated, and that's why I think that a

1 stand-alone rule on this issue is the better course  
2 because of the complexity of trying to utilize the 702  
3 construct.

4 CHAIR FURMAN: All right. Thank you, Mr.  
5 Levy. Let me pose one question, which is on an issue  
6 that other witnesses have disagreed about, whether  
7 it's premature for the Committee to be adopting any  
8 rule or we should be moving forward. I recognize you  
9 think that it should take a different form than the  
10 current proposal, but putting that question aside, do  
11 you have a view on the prematurity question? I take  
12 it you think we should --

13 MR. LEVY: I've got mixed feelings about  
14 this. I absolutely applaud the Committee's engagement  
15 on this issue. It is a very challenging, fascinating  
16 area, one that will continue to develop and present,  
17 and the fact that you are moving forward on this now  
18 suggests really an engaging and proactive approach.

19 I've been working this issue on the Texas  
20 rules side. I serve on the Texas Supreme Court  
21 Advisory Committee and we have been looking at similar  
22 issues as well, and I do think that providing guidance  
23 will be beneficial, but the landscape of artificial  
24 intelligence technology and how that will affect civil  
25 justice or criminal justice cases is still being

1 developed, so I like the idea you're moving forward  
2 with this and your open mind about it, and I think  
3 there is some benefit to continuing the discussion.

4 I think, though, if there are going to be  
5 other types of rulemaking that you're thinking about,  
6 and I think you've had some discussions, it might be  
7 better to do it all together in kind of a package  
8 discussion about rulemaking related to AI, and that  
9 could include either the deep fake issue or other  
10 issues related to authentication. On that point, by  
11 the way, I think there is a fascinating challenge, and  
12 Professor Capra will be able to clean my clock on  
13 this, but is output from an AI tool a declaration?  
14 Could it even be a hearsay rule exception as an output  
15 that has no one speaking?

16 CHAIR FURMAN: Got you. All right. Thank  
17 you for that and we appreciate that, and we are, as  
18 you probably know, continuing to look at and think  
19 about the deep fake issue, and, actually, on that  
20 score, I think a survey is going out to every federal  
21 trial judge in the country today to solicit their  
22 experience and views on that. Any other questions  
23 from Committee members for Mr. Levy?

24 (No response.)

25 CHAIR FURMAN: All right. Thank you very

1       much, Mr. Levy. And we'll move to our final witness,  
2       Joseph Zaki of Loko AI. Mr. Zaki?

3                    MR. ZAKI: Yes. Thank you, Judge and  
4       members of the Committee, for the opportunity to  
5       testify today. My name is Joseph Zaki and I'm a  
6       technical practitioner working on evidence integrity  
7       and independent verification workflows. I support the  
8       objective of the proposed Rule 707. If machine-  
9       generated outputs are offered without an expert, they  
10       should not evade reliability scrutiny. The courts  
11       should be able to apply Rule 702 in a coherent,  
12       administrable way.

13                   Really, you know, I'm focused on a narrow  
14       point that I believe that determines whether Rule 707  
15       works in practice. In real cases, reliability  
16       disputes often do not start with model theory. They  
17       start with a simpler, more fundamental question. What  
18       exactly did the system process, and is that record  
19       intact enough that the other side can test it? So it  
20       seems that courts cannot meaningfully apply Rule 702  
21       to machine outputs if the underlying record is  
22       incomplete, altered, selectively exported, or  
23       otherwise not independently testable.

24                   If the opponent cannot check whether inputs  
25       are missing, reordered, truncated, or transformed, the

1       reliability inquiry becomes a contest of assertions  
2       rather than the evidence, and that's not a theoretical  
3       concern. You know, it's a structural mismatch in a  
4       way. 702 presumes we can ask what facts or data  
5       underlie this, and was the method reliably applied to  
6       those facts. But, with machine outputs, if the inputs  
7       and transformations are not custody-grade, the court  
8       is being asked to evaluate reliability without a  
9       stable substrate.

10           And then there's a second mismatch that  
11       motivates Rule 702 in the first place, cross-  
12       examination. You can cross-examine a human expert  
13       about what they did and what they relied on and what  
14       they might have missed. You cannot cross-examine a  
15       machine, so if the record layer is not independently  
16       testable, the adversarial process is weakened at the  
17       moment the output is most persuasive.

18           The most practical improvement I can offer  
19       is a technology-neutral two-step reliability sequence  
20       for the Committee note. It's not a rewrite. It's not  
21       new doctrine. It's simply an order of operations  
22       anyone could use to keep the inquiry grounded. And so  
23       I put this in my written testimony as well.

24           Step 1 is integrity and independent  
25       testability of the underlying record. Step 2 is the

1       validity of the inference under Rule 702. So, you  
2       know, step 1 there would be can the proponent provide  
3       objective testable information sufficient to show what  
4       the system processed and what material transformations  
5       occurred such that meaningful adversarial testing is  
6       possible, and then the step 2, once the record is  
7       independently testable, the court can evaluate  
8       inference reliability under 702, including fit  
9       validation, known sources of error, and sources of  
10      variability or non-determinism.

11           So that's really the entire thing there.  
12           It's not really complicated, but it matters because it  
13        allows judges to avoid, you know, being forced into  
14        abstract model debates when the record itself is  
15        unstable. But there is a boundary to this. You know,  
16        it's not, you know, a demand for full system  
17        transparency. It's not a perfect standard. It's  
18        definitely not a deep dive into model theory, and it's  
19        not an attempt really to modify, you know, Rules 901  
20        through 903 or to create any kind of new discovery  
21        obligations.

22           It's really to satisfy when the proponent  
23        provides objective records that make missing inputs,  
24        edits, or any kind of transformations detectable and  
25        allow an opposing party to test the proponent's

1 claims, and I think, once that condition is met, the  
2 courts proceed to the ordinary Rule 702 inquiry, and  
3 that can be, again, implemented in a technology-  
4 neutral way. Courts are already doing this thing all  
5 the time. You do not prescribe how you built your  
6 accounting system. They ask whether can you produce  
7 reliable business records. And they don't prescribe  
8 how you built your lab equipment. They're asking  
9 whether the methodology is reliable and was applied  
10 reliably. The same approach here.

11 CHAIR FURMAN: Thank you, Mr. Zaki. Can I  
12 ask you one question given your technical background?  
13 Some of the other witnesses have suggested different  
14 terminology, either machine learning or computer-  
15 generated versus what we are currently using, which is  
16 machine-generated. I don't know if you have any views  
17 on that, whether you think one or the other is  
18 preferable?

19 MR. ZAKI: I think there is a distinction  
20 between, you know, machine-generated and machine-  
21 learning type model generated outputs. You know, a  
22 gentleman that spoke just before me was, you know, in  
23 that kind of direction of any output could be  
24 considered, you know, machine-generated in a sense now  
25 in our world, that there has to be a distinction, a

1       higher tier when we're talking about, if you're going  
2       to replace a human with, you know, evidence, a expert  
3       witness, that witness has to have the same, at least,  
4       you know, the same kind of underlying -- it has to be  
5       able to -- beyond what it's outputting, it has to be  
6       trusted for integrity first, and so it's a higher  
7       tier.

8               I think it's definitely a higher tier sort  
9       of benchmark rather than just any kind of machine-  
10      generated output. It's more the machine learning  
11      generated from machine learning-type outputs, which is  
12      kind of a lot to put in a mouthful, you know,  
13      generated outputs, things that have been trained when  
14      we start relying on the algorithm more than the human,  
15      you know, and that line is blurring, so be careful,  
16      but I do think it is a higher tier.

17               CHAIR FURMAN: Thank you.

18               PROF. CAPRA: Mr. Zaki, I have a couple of  
19      questions. One is there's some dispute about whether,  
20      if the process is inexplicable, whether that means it  
21      could never be admitted, and the Committee is  
22      currently taking the position that while experts  
23      should ordinarily be required to testify and explain  
24      the way the machine works, there might be some  
25      alternatives. And it seems to me that the things

1       you're talking about are actually those alternatives,  
2       that you might not know exactly how the machine works,  
3       but you know that it has output that is reliable. Am  
4       I wrong about that?

5                    MR. ZAKI: You're absolutely correct. Where  
6       I sit in technology and the type of things that we're  
7       working on are deterministic workflows that where's  
8       the data created? The second, not even the second,  
9       the millisecond, the nanosecond that the data is  
10      created, can you prove it and can you chain that data  
11      all the way from capture to package to replay to  
12      sitting in a courtroom? And so, previously, this was  
13      not possible. There was a lot of limitations, but now  
14      it is possible to absolutely capture seal from the  
15      second that data has been generated all the way  
16      through replay, and that is significant in terms of  
17      how that affects, you know, defense-type systems,  
18      things where there's a much higher tier of what is  
19      admissible and what is going to be admissible if it  
20      ever gets audited or pulled into court.

21                   And, you know, what I'm suggesting is not at  
22      everything. This is like a much higher tier of  
23      evidence integrity that's happening and transforming.

24                   PROF. CAPRA: But, I mean, the bottom line  
25      is then, at least in your view, that machine-generated

1 information, even though inexplicable in how it came  
2 about, can still be validated within the context of  
3 702 when without an expert?

4 MR. ZAKI: Absolutely.

5 PROF. CAPRA: Is that right?

6 MR. ZAKI: Yes.

7 PROF. CAPRA: Okay. I have another question  
8 about your note, which is very helpful. You say  
9 courts may consider threshold integrity conditions  
10 necessary for meaningful -- shouldn't it be courts  
11 must? I mean, don't they have to consider these  
12 threshold integrity conditions necessary for  
13 meaningful adversarial testing?

14 MR. ZAKI: They must, yeah. That's a good  
15 point. I agree with you. Yeah.

16 PROF. CAPRA: That was a friendly amendment  
17 to your suggested Committee note, which I found very  
18 helpful.

19 CHAIR FURMAN: Thank you. Any questions for  
20 Mr. Zaki from members of the Committee?

21 (No response.)

22 CHAIR FURMAN: All right. Thank you very  
23 much, Mr. Zaki. Appreciate your helpful comment and  
24 testimony.

25 MR. ZAKI: Yes, thank you.

1 CHAIR FURMAN: All right. That concludes  
2 our hearing for today. Let me say first of all we  
3 have another public hearing scheduled for January 29,  
4 I believe, also starting at 10 a.m., and we'll hear  
5 from additional witnesses on the two proposed rules  
6 that we have published. I really, a), want to thank  
7 on behalf of the Committee, I want to thank the Rules  
8 staff, Carolyn Dubay and Shelly Cox and their team,  
9 for their help and effort in organizing today's  
10 hearing. I also want to thank those who joined us  
11 both to testify and also just to observe.

12 As I said at the outset, the comments we  
13 receive, the testimony we receive are incredibly  
14 helpful in the Committee's consideration of all  
15 rulemaking, and I would say on 707, which was the  
16 principal topic of today's testimony, especially  
17 helpful there given the complicated issues that we're  
18 grappling with, so extremely helpful.

Finally, we just want to remind folks listening that the comment period for the two things that are out for comment, that is, Rule 609 and Rule 707, doesn't close until February 16, and we would welcome additional comments to facilitate our consideration of both those issues, which will be on our agenda at our spring meeting for further

1 discussion.

2 That concludes today's hearing. I want to  
3 thank every Committee member who appeared, especially  
4 John Siffert, who I think is joining us from Europe,  
5 and safe travels to everyone. Thank you. And we are  
6 adjourned. Have a wonderful day.

7 (Whereupon, at 11:13 a.m., the meeting in  
8 the above-entitled matter was adjourned.)

9 //

10 //

11 //

12 //

13 //

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

1       //  
2       //  
3       //  
4       //

REPORTER'S CERTIFICATE

DOCKET NO.: N/A

CASE TITLE: Meeting of the Advisory Committee on  
Evidence Rules: Hearing on Proposed  
Amendments to Rule 609 and New Rule  
707

HEARING DATE: January 15, 2026

LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the Administrative Office of the U.S. Courts.

Date: January 30, 2026



---

Michelle Michelbacher  
Official Reporter  
Heritage Reporting Corporation  
Suite 305  
1150 Connecticut Avenue, N.W.  
Washington, D.C. 20036