

TRANSCRIPT OF PROCEEDINGS

IN THE MATTER OF:)
)
MEETING OF THE ADVISORY)
COMMITTEE ON EVIDENCE RULES)
RE: PROPOSED AMENDMENTS TO)
RULE 609 AND NEW RULE 707)
)

Pages: 1 through 110
Place: Washington, D.C.
Date: January 29, 2026

HERITAGE REPORTING CORPORATION

Official Reporters

1150 Connecticut Avenue, N.W., Suite 305

Washington, D.C. 20036

(202) 628-4888

contracts@hrcreporters.com

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26
27
28
29
30
31
32
33
34
35
36
37
38
39
40
41
42
43
44
45
46
47
48
49
50

ADMINISTRATIVE OFFICE OF THE U.S. COURTS

IN THE MATTER OF:)
)
MEETING OF THE ADVISORY)
COMMITTEE ON EVIDENCE RULES)
RE: PROPOSED AMENDMENTS TO)
RULE 609 AND NEW RULE 707)
)

Suite 305
Heritage Reporting Corporation
1150 Connecticut Avenue, N.W.
Washington, D.C.

Thursday,
January 29, 2026

The parties met remotely, pursuant to the notice,
at 10:00 a.m.

EVIDENCE RULES COMMITTEE ATTENDEES:

HONORABLE JESSE M. FURMAN, Chair
PROF. DANIEL J. CAPRA
JAMES P. COONEY, III, Esquire
HONORABLE MARK S. MASSA
PROF. LIESA RICHTER
ELIZABETH SHAPIRO, Esquire
JOHN S. SIFFERT, Esquire

OTHER COMMITTEE AND JUDICIARY STAFF ATTENDEES:

HONORABLE JAMES C. DEVER, III
CAROLYN A. DUBAY, Esquire
HONORABLE EDWARD MANSFIELD
HONORABLE HANNAH LAUCK

WITNESSES:

MARK ABRAMOWITZ
CHRISTINA CAMBO
WILLIAM CARLUCCI
ROBERT FRIEDMAN
STEPHEN HERMAN
DAVID NAGDEMAN
NICOLE OWENS
JONATHAN REDGRAVE

1 JOHN ROSENTHAL
2 ANDREA ROTH
3 KAITLYN STONE
4 TAD THOMAS
5 LAUREN YU

6 P R O C E E D I N G S

7 (10:00 a.m.)

8 CHAIR FURMAN: All right. It is 10:00. Being
9 a trial judge, I like to start on time, so we will get
10 started with today's public hearing on the proposed
11 amendments on the Rules of Evidence. As a reminder, the
12 current published proposals out for public comment are
13 to Rule 609 and new proposed Rule 707. At today's
14 hearing, we will hear from -- I think we are down to 13
15 witnesses as two witnesses have dropped out, all of whom
16 I gather will be testifying about the proposed new Rule
17 707. We look forward to hearing your testimony.

18 I also want to say that the Committee very
19 much appreciates those who have submitted public
20 comments and those who plan to do so before the end of
21 the comment period, which ends on February 16, just a
22 few weeks away, so if you haven't gotten a comment in,
23 you still have time. That input and the testimony that
24 we heard two weeks ago and we'll hear today are a vital
25 part of the Committee's consideration and the rulemaking
26 process.

27 A brief word about the plan today. Each

1 witness will have 10 minutes. Given the number of
2 witnesses, I do plan to take a brief break
3 approximately halfway through. I would ask that
4 witnesses keep your remarks brief so that Committee
5 members have ample time to ask questions and that you
6 conclude your comments within 10 minutes so that we
7 can continue with the next witness, and if you don't
8 conclude, I will conclude for you and tell you you're
9 done. Now Carolyn from the Rules Committee staff
10 and/or I will be keeping time and will remind
11 witnesses as needed, and just note that the timing on
12 the schedule is approximate and will be adjusted as
13 needed.

14 A few technical reminders before we get
15 started. First, please leave your video off and
16 microphones muted until you are called on to make your
17 presentation. For Committee members, we'd welcome
18 Committee members to have your videos on throughout
19 the hearing if you wish, but please keep your audio
20 muted when not speaking. That goes for everyone,
21 including you, Dan. You're not muted at the moment.
22 And we ask that you ask that you use the Raise Hand
23 feature or physically raise your hand in your video to
24 indicate if you wish to make a comment or ask
25 questions.

1 Now a reminder to everyone that this hearing
2 is being recorded for purposes of preparing a
3 transcript which will be made available publicly on
4 the U.S. Courts website, and, finally, a reminder that
5 if you get disconnected, you should use the original
6 Teams link to rejoin or the conference bridge number,
7 which I think is located at the bottom of the meeting
8 invitation to join by phone.

9 Now, with that, we will get started with the
10 witness testimony, and we will begin with Mark
11 Abramowitz. Are you on?

12 MR. ABRAMOWITZ: Yes, Your Honor, I am.

13 CHAIR FURMAN: Great. You may proceed.

14 MR. ABRAMOWITZ: Chair, members of the
15 Committee, thank you for the opportunity to testify.
16 My name is Mark Abramowitz and I'm the Chair of the
17 ESI department at Dicello Levitt. In addition to
18 that, I am also actively litigating mass tort cases,
19 such as In re Hair Relaxer Marketing, Sales Practice,
20 and Product Liability Litigation and In re Abbott
21 Laboratories et al. Preterm Infant Nutrition Product
22 Liability Litigation, both in the Northern District of
23 Illinois. Further, at the firm, I'm part of the
24 firm's focus group and trial team that secured a
25 \$102.6 million verdict against GM due to a defect in

1 the piston rings of certain GM engines that cause
2 premature engine damage, stalling, and breakdowns.

3 At the heart of litigation in this country
4 is fairness. It is understandable and, frankly,
5 crucial that the rules of evidence be updated to keep
6 up with how generative AI has become a mainstay within
7 our jobs and daily life, and just like it has taken
8 over most parts of our life, so too will it be coming
9 to the courtroom, but the proposed rule as written
10 does not accomplish the goals of justice, fairness, or
11 efficiency. In my written testimony, I lay out
12 several broad categories that I do not believe are
13 adequately addressed or provide enough guidance for as
14 it's written. Those categories include things such as
15 what is a simple scientific instrument? What
16 discovery will be required and permitted? How will
17 third parties and contractors be viewed? And how will
18 abuse of this rule be prevented?

19 In my limited time here today, I'm going to
20 focus on how the rule does not address the lay witness
21 or party witness. I want to use an example which is
22 faced often in product liability cases regarding
23 pharmaceutical drugs or in the makers of cosmetic
24 products. It revolves around what is known as post-
25 market surveillance, and that's where companies

1 collect and catalog complaints about their products,
2 and the manner in which those calls are collected and
3 organized have a disproportionately large impact on
4 the knowledge a company may or may not have about the
5 harm their product is causing once they've sold it.

6 Right now, this is a very heavily human
7 process, but it will not surprise me if this task of
8 answering and cataloging phone calls becomes machine-
9 driven. In fact, several large companies in the
10 pharmaceutical industry have said they're looking to
11 actually bring in machine learning and generative AI
12 to help handle these sort of inquiries and complaints,
13 and at the heart of it, this sounds akin to an
14 answering machine or, on the scientific side, a person
15 gathering information, which might be seen as a simple
16 scientific tool.

17 However, this is far from an ordinary
18 answering machine or a person who's just collecting
19 the information. The decision as to how to catalog
20 the complaint will be handed over to the machine to
21 generate that evidence that's used in our cases. So,
22 for example, if through generative AI and the
23 summarizing of the phone call or accepting of the
24 complaint that the company receives it's cataloged
25 incorrectly, the results would have a huge impact on

1 what we know.

2 So some of those examples I could think of
3 is chest pain versus heartburn. I think everybody
4 here understands the difference if something causes
5 chest pain versus heartburn as to what that difference
6 would be to a medical professional, or confusion
7 versus memory loss. You know, confusion usually means
8 a delirium red flag to something acute, where memory
9 loss might mean something more chronic, and those
10 distinctions would have huge ramifications in
11 litigation as to what somebody knew or didn't know.

12 And if we don't get scrutiny into these sort
13 of simple machines, which, you know, one could argue
14 could be deemed as a simple scientific machine since
15 this is part of the company's typical causal vigilance
16 or their science teams, they could have a very
17 disproportionate effect, and it would prevent the
18 scrutiny that litigation needs to help ensure that it
19 results in fairness, justness, and efficiency.

20 There are other examples I can point to as
21 well, but I feel for today, in the time I have, this
22 is what I can show, but, in conclusion, I wanted to
23 thank the Committee for this opportunity to address
24 you, and I hope the points I brought up will spur
25 additional conversation and lead to a rule that

1 protects the litigation in this country, which I'm
2 very happy and appreciative that the members of this
3 Committee are trying to do and I think will ultimately
4 do, but the rule as written right now I don't believe
5 accomplishes those very noble goals.

6 I'm available for questions now.

7 CHAIR FURMAN: Thank you, Mr. Abramowitz. I
8 have one question which responds more to something
9 that is in your written submission, namely about
10 discovery. It's a point that other witnesses today
11 have also made, and so, to the extent that they're
12 listening, they could also address this.

13 You know, one option would be to amend the
14 Rules of Civil and Criminal Procedure, but those
15 committees have not yet pursued that. In the
16 alternative, I would love your thoughts if we were to
17 incorporate into the rule some sort of notice
18 requirement akin to the requirement in Rule 404. That
19 would at least ensure that the proponent was required
20 to give some form of notice and would sort of, you
21 know, force disclosure perhaps. Do you think that
22 would address the concern that you've raised?

23 MR. ABRAMOWITZ: In the exact situation that
24 I laid out, no. The problem is that this would have
25 happened prior to litigation ever starting, so it's

1 using something that would have been built and
2 decisions that were made well before, so, for example,
3 a better place for this to be disclosed hypothetically
4 would be within a Rule 26(a) disclosure, where you
5 have machine-generated evidence that is pertinent to
6 this litigation, would be the more akin place to do
7 that. The problem is, if it's too far into the
8 litigation process, the efficiency that has been
9 driven into and brought up many times, not just today
10 but across the board in litigation in this country,
11 would actually take away from that.

12 Also, I know, in the comments in the
13 proposed rule, it talks about how, you know,
14 disclosures at the same time as expert witnesses. I
15 also think that's a bit too late to do this, and some
16 of the discovery is vast. I mean, if, for example, In
17 re Hair Relaxer Litigation, certain new databases were
18 being discovered throughout litigation and it got to
19 the point that as, you know, Judge Rowland, rightfully
20 so, wanted this case to keep moving, but it cut out
21 our ability to do certain discovery into those sort of
22 areas.

23 And, you know, yourself being a trial judge,
24 when you set your date for trial, you don't want to
25 move it and understandably so because of all the work

1 and scheduling that's gone into it if something comes
2 up too late where the parties do not have the time to
3 adequately investigate through discovery, through
4 motion practice, hopefully not, obviously, but if it's
5 needed to deal with those issues.

6 CHAIR FURMAN: All right. Thank you.

7 Professor Capra? You're on mute.

8 PROF. CAPRA: Sorry. The problem that you
9 addressed in your oral testimony, the reason you're
10 saying that 707 doesn't apply is because it's actually
11 lay witness testimony, it's not expert testimony. Is
12 that what you're saying?

13 MR. ABRAMOWITZ: Correct.

14 PROF. CAPRA: So how would you deal with
15 that in the absence of a rule?

16 MR. ABRAMOWITZ: Right now --

17 PROF. CAPRA: Like, on the current Federal
18 Rules of Evidence, how are you going to deal with
19 that?

20 MR. ABRAMOWITZ: That's why I need a rule,
21 Professor Capra. I understand that.

22 PROF. CAPRA: Is this a problem that can't
23 be figured out under -- I mean, it's just lay witness
24 testimony.

25 MR. ABRAMOWITZ: No, it can be figured out.

1 It can be figured out right now. A lot of litigation
2 goes into this. Right now, how it works out, you
3 take, you know, the head of, the director of
4 pharmacovigilance. You could probably use a 30(b)(6)
5 to get in there as well to say, look, who is the most
6 knowledgeable to understand the filters or settings
7 used within that answering machine because that's --
8 if I'm on the defense, it's my client, I'm saying this
9 is part of our scientific process of collecting and
10 reviewing scientific issues, so I would have a
11 30(b)(6) to dig into the filters and decisions that
12 the party or, in this case, the company made in
13 setting up the system for collecting, cataloging this
14 information. Right now --

15 PROF. CAPRA: If it's tantamount to lay
16 witness testimony, then you would exclude it because
17 it's unhelpful under Rule 701, right?

18 MR. ABRAMOWITZ: Correct, except that this
19 is helpful because it goes to knowledge, and the
20 problem becomes that this is at the heart of the case,
21 right? Post-market surveillance shows, hey, you know,
22 did you know this was a foreseeable use? Did you know
23 that the harm was happening? It is very important and
24 in the FDA regulatory world, I mean, signaling,
25 understanding when the signals of a medical issue is

1 coming up are very, very pertinent to the cases, so
2 they would come in through heavy discovery right now,
3 but, right now, we have a human being in a chair who
4 can answer why we did what we did. Here's a standard
5 operating procedure that we worked within.

6 The problem becomes if that director now
7 says, look, I printed out the information from the
8 answering machine and I gave it up the chain, but no
9 one knows why the answering machine did what it did,
10 and we have to make sure it doesn't come in without
11 the proper rules and guardrails on that.

12 A lot of times judges don't also have the
13 appetite or the time to allow for the 30(b)(6)s and
14 the technical testimony that's needed without guidance
15 because time is valuable. Everybody only has limited
16 time, and guidance through a rule to help push that
17 properly would be very helpful.

18 CHAIR FURMAN: All right. Thank you very
19 much, Mr. Abramowitz. Seeing no other questions and
20 10 minutes having elapsed, we will move to the next
21 witness.

22 MR. ABRAMOWITZ: Thank you.

23 CHAIR FURMAN: Thank you for your testimony
24 and your written submission.

25 So, Christina Cambo, I see you're on. If

1 you can put your camera on, you may proceed.

2 MS. CAMBO: Good morning. Thank you so much
3 for the opportunity to talk and speak with you on this
4 very important subject today. My name is Christina
5 Cambo of Cambo Ferry, which is an insurance defense
6 firm with offices throughout the State of Florida. I
7 have been practicing for 15 years, and I serve on the
8 Board of Directors for the Florida Defense Lawyers
9 Association, and I'm also a member of DRI's Federal
10 Task Force Committee.

11 Right now, the U.S. has no guardrails or
12 brakes when it comes to AI, so I am very grateful that
13 the Rules Committee is being proactive regarding AI
14 and its expected evidentiary impact. As we work
15 together to build the best framework to deal with this
16 new class of evidence, I have three main concerns with
17 the rule as it is currently drafted.

18 The first concern is that Rule 707 won't
19 exist in a vacuum. It will likely impact other
20 evidence rules and it may indirectly expand discovery
21 obligations, so we should be approaching this
22 holistically.

23 Secondly, I worry that if we are waiting to
24 address AI-specific evidence at the Chapter 700 stage
25 that it is too late in the game to effectively deal

1 with this type of evidence.

2 And, lastly, because we're dealing with an
3 entirely new class of evidence that we have never seen
4 before, I am concerned about whether the Daubert
5 standard is stringent enough to allow the courts to
6 sufficiently gatekeep. I've spoken with colleagues on
7 both sides of the aisle and we all share the same
8 concern.

9 With regard to the first point, the Rules of
10 Evidence, of course, are designed to be
11 interconnected, and, right now, we're looking at a
12 standalone rule on AI, but there are other rules that
13 could act as effectively to operate as "escape
14 hatches" to allow litigants to circumvent the
15 heightened reliability and authentication requirements
16 of Rule 707. For instance, Rule 703 allows an expert
17 to base an opinion on facts or data in the case if
18 that expert has been made aware of or has otherwise
19 personally observed that fact or data, so if the
20 expert would reasonably rely on those kinds of fact or
21 data in forming an opinion, then those facts and that
22 data set does not need to be admissible in order for
23 the opinion to be admitted.

24 So, right now, a radiologist relying on an
25 AI diagnostic tool to reach his or her conclusions

1 could use the current framework of Rule 703 to bypass
2 the higher standard of Rule 707. There's nothing that
3 I could tell anyway within the proposed Rule 707 that
4 would override Rule 703 in this scenario. I think
5 there is a foundational difference between an output
6 versus an opinion, and as written, I can't see how the
7 rule takes that into account, so I worry that
8 something like 703 could be an easy workaround for
9 litigants wanting to get around the heightened
10 standard.

11 Rule 901, I know this has come up before,
12 but, for instance, a party relying on an AI calculated
13 risk score for stroke likelihood or other diagnostic
14 tools could argue that the output is automated, it
15 does not involve any human assessment at all and
16 therefore would be admissible.

17 Turning to the Daubert standard itself, when
18 we're looking at the way the rule is drafted, this
19 rule pertains to machine-generated evidence, which is,
20 as far as I can tell, an undefined term. There's
21 nothing within the text of the rule that defines the
22 term, and I don't see any reference to it or any other
23 definition in other rules of civil procedure or
24 evidence, and without a scientific definition or
25 without a specific definition, sorry, who's to say

1 that an MRI is not machine-generated evidence or an
2 EKG?

3 So my suggestion would be to specifically
4 state that this rule applies to artificial
5 intelligence. Daubert was designed to address human
6 experts explaining scientific methodologies, not
7 opaque, quickly changing, and exploitable systems like
8 AI, so Daubert focuses on whether a method is
9 scientifically reliable, not whether a piece of
10 digital media is fabricated or authentic, and so,
11 because Daubert is focusing on methodologies used by
12 human experts to arrive at their conclusion, it relies
13 on transparency and the scientific method and testable
14 standards, and the problem is that there is no
15 universally accepted standard for evaluating machine-
16 generated evidence. Even the developers of these LLMs
17 cannot tell you the error rate for the outputs or how
18 AI arrives at these conclusions.

19 There's just simply no causal clear account
20 for how these outputs are produced or generated, so I
21 believe that the analysis for this type of evidence
22 has to be more granular. We need access to the
23 underlying data, the coding, validation studies
24 because soon enough it will be nearly impossible to
25 discern the difference between an AI-generated video

1 and a real one.

2 Right now, using the current framework of
3 AI, I could just give ChatGPT a photo of me and I
4 could record a very innocuous sentence like today is
5 January 29, 2026, and then the AI would be able to
6 generate an entire podcast using my actual image, my
7 actual voice and likeness, to talk about all kinds of
8 things that did not occur, I did not say, but it would
9 be impossible to discern with the naked eye whether or
10 not it's real.

11 So, when we're evaluating this type of
12 evidence, I think we should be looking at not just it
13 looks like them, but having technical evidence
14 describing these systems, showing that it produces
15 reliable results, seeing what versions of the AI were
16 used, were there updates to the system, the model,
17 what does the system's architecture look like, all of
18 this should be part of disclosure requirements.

19 And because Daubert presumes a human expert
20 can explain the theory and methodology, that simply
21 doesn't necessarily translate to an AI system, where
22 there are black boxes, where the developers don't even
23 know and cannot give a clear account of how these
24 outputs are produced.

25 So, in short, AI is a tremendously powerful

1 tool, but, ultimately, machines cannot be held
2 accountable, so we need to use the framework of the
3 Rules of Evidence and Procedure as a whole to act as
4 checks and balances throughout the litigation in order
5 to effectively weed out the fabricated, the deep
6 fakes, versus the truly helpful AI-generated evidence.

7 CHAIR FURMAN: Thank you. Could you address
8 the same question that I posed to Mr. Abramowitz?
9 That is to say, unless there's a companion amendment
10 to the Federal Rules of Civil and Criminal Procedure
11 requiring disclosure, do you think a notice provision
12 in our rule would be at least a step in the right
13 direction?

14 MS. CAMBO: I think that would be a really
15 helpful thing.

16 CHAIR FURMAN: All right. Jim Cooney, you
17 want to -- I'll call on you first.

18 MR. COONEY: Thanks, and I may be stealing
19 what Professor Capra's going to get at, but everything
20 I heard in there really, I think, justifies the
21 approach that the Committee's taken in terms of
22 requiring machine testimony or opinion, however you
23 want to put it, if offered by a human being, to be
24 subject to the same rules, so all the complaints I
25 heard about we need to get into the algorithms and the

1 underlying would absolutely apply under this rule
2 because, if it's being offered without expert
3 testimony, but if an expert was offering it, you would
4 be able to delve into all those things.

5 You'd be able to delve into all those things
6 in the rule. In fact, that's the point of the rule,
7 is that we want to take machine opinions and make them
8 subject to the same kind of scrutiny that expert
9 opinions are subject to. So I didn't quite understand
10 how no rule, you know, helps, and this rule doesn't
11 get to where you want to get to.

12 MS. CAMBO: I guess what I'm trying to
13 address is how the Daubert standard, it relies on
14 purely humans to explain methodologies, and the AI-
15 generated evidence is something that humans are
16 unlikely to be able to explain in the same way that
17 they're able to explain how they reach their
18 methodologies in reaching their opinions as an expert.
19 This is because, again, the software developers do not
20 even know. They can't even explain how it's done, so
21 it leads to concerns about how Daubert will be
22 effective in weeding out this class of evidence when
23 even the own developers, the owners of these AI
24 companies, don't even understand themselves how these
25 outputs are generated, what the error rates are, the

1 biases, the algorithmic processes used, and so it just
2 needs to be more granular.

3 CHAIR FURMAN: All right. Dan, quick
4 question so we can move on.

5 PROF. CAPRA: I just on the 703 question, I
6 guess I just don't follow because I guess what you're
7 trying to say is that you're going to get this
8 information in through 703 basically because it would
9 be the basis of the expert's opinion, but that's going
10 to be prohibited by 703 because 703 has a balancing
11 test that doesn't allow basis to get in under those
12 circumstances, I would think, and I just don't see how
13 evidence that wouldn't qualify under 702 somehow
14 becomes admissible under 703. I mean, 702 and 703,
15 you have to satisfy both. You know, if you haven't
16 satisfied Daubert, you can't say, yeah, but I
17 satisfied 703. That's not the way the system works.

18 MS. CAMBO: But, with 703, would the
19 radiologist in my example be required to even disclose
20 that they use an AI diagnostic tool? Would they even
21 have to say to share that information at all? Why
22 doesn't it work?

23 PROF. CAPRA: Then it doesn't it get, so --
24 but it doesn't get it in, so I guess I didn't know
25 what the problem was there, right?

1 MS. CAMBO: So --

2 PROF. CAPRA: It doesn't get into evidence.

3 MS. CAMBO: So, right now --

4 CHAIR FURMAN: I think, unfortunately, we're
5 going to have to --

6 PROF. CAPRA: I'm sorry. I didn't mean to
7 take up too much time.

8 CHAIR FURMAN: That's okay, but I think
9 we'll have to leave it there. Thank you very much,
10 Ms. Cambo, and appreciate your written submission and
11 your testimony.

12 William Carlucci is next. Mr. Carlucci?

13 MR. CARLUCCI: Yes. Good morning and thank
14 you for your time here today. William Carlucci, an
15 attorney in Barnes & Thornburg's New Jersey office.
16 My comments today, time permitting, will focus on two
17 main themes, the first being the difficulty in
18 grafting the 702 analysis onto AI or machine-generated
19 opinions, and second would be the ambiguity regarding
20 the applicable burdens and what sufficient evidence
21 must be adduced to support the admission of machine-
22 generated opinions.

23 First, I'd like to address the grafting of
24 702, which regards testimonial expert opinions, onto
25 machine-generated evidence, which is inherently non-

1 testimonial. Under 702(a), the analysis begins with
2 looking to the expert's scientific, technical, or
3 other specialized knowledge. However, in the context
4 of machine-generated evidence, particularly through
5 LLMs, they are inherently non-specialized. They're
6 tools of general knowledge. That's what makes them
7 widely accessible and inherently useful to the general
8 public, is that they are not specialized, so looking
9 under this analysis, it's not entirely clear how that
10 would apply to a 707 analysis given that these tools
11 are not specialized. They don't have the technical
12 knowledge of a traditional expert.

13 Next, under 702(b) and (c), the testimony
14 must be based on sufficient facts or data and the
15 opinion must be the application of reliable principles
16 and methods. However, it's not clear what the
17 "testimony" would be under 707. Is it merely the
18 opinion of the machine? Is it the entire output of
19 the machine? By way of example, if a proponent of the
20 evidence uses an AI tool to summarize lengthy medical
21 records and, as part of that summary, the machine puts
22 in there an opinion that, you know, given the
23 diagnosis of the plaintiff, you know, seeing a doctor
24 12 times in six months is excessive, under that, is
25 the entire summary and that last sentence opinion, is

1 that the testimony that must be analyzed? Is it just
2 the last sentence, or does it also include the
3 testimony of the witness on the stand through whom the
4 proponent is seeking to introduce this machine-
5 generated opinion?

6 And last, under 702(d), that requires that
7 the expert's opinion reflect a reliable application of
8 the principles and methods to the facts, which, as Ms.
9 Cambo just said, even experts in this field aren't
10 entirely sure how these AI algorithms are applied, so
11 even if experts in the field can't testify to the
12 reliable application of the AI algorithms, then it's
13 not clear how any analysis under 707 would lead to the
14 admission of any machine-generated opinion. If the
15 goal is to have an exclusion of machine-generated
16 opinion categorically, then that would be a different
17 conversation than trying to create a Rule 707 that has
18 a backdoor way of excluding all machine-generated
19 opinions.

20 Then the second theme that I'd like to
21 address is the silence on the burden of proof and the
22 requisite showing under Rule 707. Rule 707 adopts
23 merely 702 subsections (a) through (d), not the
24 prefatory language in Rule 707, so it's not clear
25 whether 707 is meant to incorporate the 2023

1 amendments reinforcing the gatekeeping function of the
2 court or is there a lower standard or burden of proof
3 that must be shown to introduce the machine-generated
4 opinion.

5 And then, once that standard is identified,
6 it's not clear what showing is required to meet that
7 standard. Will it require merely an affidavit from an
8 attorney? Will it require an expert in AI to satisfy
9 these requirements? Will it require the programmer of
10 that specific AI tool? Because, traditionally, under
11 a 702 analysis, you look at the expert's report, their
12 education, their, you know, potentially deposition
13 testimony, things of that nature, where, under 707,
14 the AI tool or the machine generating this output
15 doesn't have those categories that are traditionally
16 looked at in this analysis.

17 Then, looking at what would need to be
18 introduced to support this, looking at the specialized
19 knowledge and data that's relied upon, LLMs use vast
20 troves of training data that would fill multiple,
21 multiple libraries, so being able to assess whether
22 sufficient facts or data are relied upon would cause
23 financial and non-financial burdens on both courts and
24 the litigants dealing with confidential information,
25 privilege logs, things of that nature, particularly

1 since the creators of these AI tools and these LLMs
2 aren't going to want to disclose their training data,
3 as we've seen through lawsuits regarding copyright
4 infringement and things of that nature related to AI
5 tools. So there's a whole host of issues and
6 ambiguities in 707 that would have real-world
7 implications that aren't clear from the proposed
8 current text of Rule 707.

9 CHAIR FURMAN: All right. Let me stop you,
10 Mr. Carlucci. I don't know if you've read the written
11 submissions of other witnesses. Your first point
12 about the awkward fit of the 702(a) through (d)
13 standards to machines is well taken. It's something
14 the Committee has been, you know, cognizant of from
15 the get-go and just had at least the initial view that
16 the familiarity of those standards, you know,
17 outweighed the disadvantages, but Professor Roth in
18 her memo, and, again, I don't know if you've read it,
19 raises the same issue and suggests essentially
20 actually including variations on the language from
21 702(a) through (d) in Rule 707 itself.

22 That is to say, rather than just
23 incorporating it by reference, kind of adapting the
24 language in a way that would be, you know, less
25 awkward, omitting the reference to knowledge and

1 testimony. Any thoughts about that? And perhaps, you
2 know, even in doing so, it could include the
3 prefatory, you know, sort of preponderance, more
4 likely than not, component of Rule 702. Would that
5 address your concerns?

6 MR. CARLUCCI: I think it would be a start,
7 but also, at this stage, it isn't clear how proponents
8 of machine-generated opinion testimony, how that's
9 going to be attempted to be used in court, so I feel,
10 at this stage, while I appreciate the proactive
11 nature, it feels almost as if 707 is a solution in
12 search of a problem rather than addressing a real-
13 world impact that courts are having to address
14 currently, so I think, without that baseline knowledge
15 of how is machine-generated evidence going to be used,
16 it's not clear what a best solution would be.

17 CHAIR FURMAN: Okay. Dan?

18 PROF. CAPRA: Every civil lawyer that we've
19 heard says we're a solution in search of a problem.
20 Every criminal lawyer that we hear from say that this
21 is happening today. So I guess that's all I would
22 say. With respect to the burden of proof, I mean, is
23 it going to be that every amendment that we have after
24 2023 has to add more likely than not to it?

25 MR. CARLUCCI: I think it was just from the

1 text of Rule 707, it only specifically incorporates
2 (a) through (d) rather than saying would be subject to
3 a 702 analysis, it just says subject to 702(a) through
4 (d), so I think, through that wording, it's ambiguous
5 whether it is meant to also include that more likely
6 than not language from 2023.

7 PROF. CAPRA: But I guess my point is that
8 it inherently includes it without even having to refer
9 to it. We made the emphasis because of the problems
10 that were occurring under 702, but there's no need to
11 think that that would happen in 707, but at any rate,
12 it's an interesting question as to whether you have to
13 do that with every single amendment you do now that
14 you've done that in 2023.

15 MR. CARLUCCI: I think just to reduce any of
16 that ambiguity, if you want, it would be better to
17 just say subject to 702 rather than specifically
18 calling out (a) through (d).

19 PROF. CAPRA: Well, the problem with that
20 then is you would complain about the fact that the
21 machine would have to be qualified, and that's why we
22 avoided that initial thing, and so we'd hear from you
23 the next time around.

24 CHAIR FURMAN: All right.

25 MR. CARLUCCI: Might be not be the worst

1 thing.

2 CHAIR FURMAN: We welcome all testimony and
3 comments. Any other questions for Mr. Carlucci?

4 (No response.)

5 CHAIR FURMAN: All right. Thank you very
6 much, Mr. Carlucci. Appreciate your written and oral
7 submissions.

8 MR. CARLUCCI: Thank you.

9 CHAIR FURMAN: Before I call on the next
10 witness, I think it's a little awkward to interrupt
11 somebody on Teams, so I think what I'm going to do
12 going forward for all the witnesses who are on here
13 is, at approximately the five-minute mark, just to
14 give you a heads up, I'm going to use the Raise Hand
15 feature myself just to signal to you that you should
16 wrap up so that we can leave time for questions.

17 With that, I'll call on Robert Friedman to
18 turn your camera on and proceed.

19 MR. FRIEDMAN: Thank you. Good morning,
20 everyone. My name is, indeed, Robert Friedman. I'm a
21 litigation partner at King & Spalding, and I thank the
22 Committee for the opportunity to testify about Rule
23 707. Over my career, I've been fortunate to practice
24 in many federal courts in both individual or single-
25 plaintiff suits, as well as some of the largest multi-

1 district litigations, and so my primary comment is
2 based more on years of fighting tactical battles
3 rather than scholarly legal ones.

4 As many have mentioned, proposed Rule 707
5 rightly seeks to ensure reliability for machine
6 opinions, and while it correctly identifies what I
7 think is a gap in the rules, its current form creates
8 more problems, I think, than it solves, and I do agree
9 with my colleagues who have previously urged the
10 Committee to refine the proposal before enactment, but
11 even if the Committee were to solve all the
12 substantive challenges that have been raised, I would
13 nevertheless suggest that the Committee hold off on
14 enactment until what I think has already been
15 recognized today a little bit as the notice issue can
16 be resolved.

17 As drafted, proposed Rule 707 creates what I
18 think is a new pathway for admitting machine opinions
19 without an expert witness, but by failing to provide a
20 corresponding procedural framework for disclosure, it
21 opens a vacuum that will generate inconsistency,
22 inefficiency, and unfairness. You know, I'm hearing
23 in the discussion so far today an opening for a notice
24 provision it sounds like within the new rule, so,
25 hopefully, my comments from here will be more

1 informative on the how notice is given, and I'll only
2 briefly address the why.

3 The Committee note to propose Rule 707
4 acknowledges that Rule 702's notice principles that
5 would be applicable to expert opinions and reports
6 should apply to machine opinions, but it stops there.
7 It doesn't specify disclosure content, timing, or
8 format. Now, ideally, I think it's been noted today
9 this would be done through a coordinated amendment to
10 the Federal Rules of Civil Procedure, and I understand
11 maybe there's already been a little bit of discussion
12 about that within that body. Under those rules right
13 now under Rule 26(a)(2), robust and early disclosures
14 are tied to expert witnesses, but if a party doesn't
15 use a human expert under Rule 707, then those expert
16 disclosure requirements of 702 do not clearly apply.

17 Now, taking a step back, why is this express
18 disclosure required and so important for opinions?
19 It's because opinion evidence is typically generated
20 for and during the litigation process. It doesn't
21 exist until a lawyer decides to create it, and that
22 same can and will be true of machine opinions. They
23 may not exist until a lawyer decides to create it in
24 preparation for trial, and just like Rule 702
25 evidence, clear disclosure requirements are needed. I

1 think this is the distinction between opinion evidence
2 and the example that Mr. Abramowitz raised in his
3 remarks.

4 The use of a machine to conduct drug safety
5 surveillance as part of a company's business is known
6 to litigants and can be explored in discovery if
7 there's liability theories that are attached to it,
8 but now, if a drug company sought to use that same
9 tool for litigation purposes to generate an opinion
10 about what it could or couldn't have done or should or
11 shouldn't have identified as a risk early on, that
12 would be different. That would raise new concerns
13 about disclosure.

14 So, in the absence of a clear disclosure
15 rule, courts and parties will likely fall into one of
16 a few, I think, inconsistent approaches. First, of
17 course, some will just treat machine opinions or
18 machine evidence as non-expert exhibits, try to use
19 Rule 902 authentication procedures, and in that
20 instance, they may argue that they only need to give
21 "reasonable written notice," argue that reliability
22 goes to the weight of the evidence, and, as a
23 practical matter, that means this evidence would
24 probably have to be disclosed just before the end of
25 discovery in most cases, which would lead to disputes,

1 frustrate the schedule that the court has been working
2 to follow, introduce a whole other level potentially
3 of hearings and discovery, and so could be
4 problematic.

5 The second way, some judges will analogize
6 to Rule 702, as the rule, the notes in the rule
7 suggest, and so they'll do this through Rule 16 case
8 management orders and impose expert-like disclosure
9 requirements for machine opinions. Some will require
10 early disclosure of the model identity, the
11 configuration, validation, error rates, but others
12 might not either because local practice is minimalist
13 or the need for that in a Rule 16 order is not flagged
14 early enough in the case, and so the result will be
15 uneven requirements across courts, encouraging
16 potentially forum shopping, raising a risk of Rule 37
17 sanction battles if disclosures aren't made when
18 someone thinks they should be made, and all that's due
19 to mismatched expectations about disclosure
20 requirements.

21 And so, finally, what we'll see, I think, is
22 a discovery-driven approach where parties simply will
23 early on serve requests, broad requests, for, we'll
24 just call it Rule 707-type evidence, which could
25 invite more motion practice over whether requests are

1 premature, overbroad, or burdensome, but it's also
2 problematic because, as I mentioned earlier, the
3 evidence might not even exist at the time of the
4 request, and now the responding party can think about
5 delaying the creation of evidence in a tactical way to
6 limit the amount of time that maybe the other side has
7 to respond to it, which is a problem here because,
8 obviously, the response in these cases, I say these
9 cases with this type of evidence may require other
10 expert testimony or other work to respond to it.

11 CHAIR FURMAN: All right.

12 MR. FRIEDMAN: So --

13 CHAIR FURMAN: Thank you. Mr. Friedman --

14 MR. FRIEDMAN: Yeah.

15 CHAIR FURMAN: -- let me cut you off --

16 MR. FRIEDMAN: Sure.

17 CHAIR FURMAN: -- just to leave time for
18 questions, and Dan appears to have a question, so you
19 may proceed.

20 PROF. CAPRA: Is the solution then as easy
21 as pulling a 707 on the discovery rules? In other
22 words, you write a rule which says that, you know, if
23 you're introducing whatever we call it, you know,
24 machine-generated, machine-learning evidence, you
25 treat it just like you would expert opinion and,

1 therefore, everything gets folded under the existing
2 rules on experts' opinions? And, by the way, when you
3 speak of discovery, there's two systems, right?
4 There's the civil rules and the criminal rules, so the
5 idea that this Committee, you know, get together with
6 the civil rules and write something up, we're really
7 talking about three committees, not two, but anyway,
8 is the solution that easy?

9 MR. FRIEDMAN: I think it is. I mean, I
10 think, yeah. I think just expressly putting Rule 707
11 evidence under the same sort of disclosure framework
12 as Rule 702 and making, you know, sort of the how to
13 determine whether machine-generated evidence is
14 opinion and not opinion will go a long way to solving
15 this, yeah.

16 PROF. CAPRA: Okay. Thanks.

17 CHAIR FURMAN: Any other -- yep, John
18 Siffert?

19 MR. SIFFERT: Yeah. You are introducing the
20 idea that we're not only dealing with output or output
21 that has evaluation in it by virtue of having been
22 prompted, machine having been prompted, but also
23 effectively attorney work product in anticipation of
24 the trial, and am I hearing that you're saying that
25 there ought to be a distinction in the rule between

1 the second and the third, between that opinion
2 evidence which is essentially prepared for trial as
3 opposed to that which pre-existed?

4 MR. FRIEDMAN: Well, my comments are kind of
5 laser-focused on the use of a machine to generate
6 opinion evidence that would be the same type of
7 evidence that an expert would give under Rule 702, so
8 I think the way I would analyze it, analogies are
9 dangerous, is that the sort of work product issues
10 would be the same as if you were -- I mean, if you're
11 asking a machine to do something in lieu of you asking
12 a human to do it, you know, there are going to be
13 similar -- the work product protections which parties
14 often stipulate around at least in the civil context
15 should be fairly analogous. Is that answering your
16 question? I'm not sure if I have.

17 MR. SIFFERT: Well, my point is that most of
18 this rule, I think, was originally designed to deal
19 with AI-type evidence that exists but not created for
20 trial. You're raising --

21 MR. FRIEDMAN: Yes.

22 MR. SIFFERT: Most of your comments have to
23 do with that AI-generated or, pardon me, Dan, the
24 machine-generated output, which is generated by the
25 lawyers.

1 MR. FRIEDMAN: Yeah, I think that's right, I
2 mean, because, and others have raised the concern
3 about suddenly holding machine-generated evidence that
4 we've -- you know, lots and lots and lots of data is
5 generated by companies and people every day that has
6 been admitted into courts for a long time and not
7 foisting some new standard onto that evidence, and so,
8 yeah, I am, for purposes of my comments, focusing on
9 the -- we've never applied Rule 702. I mean, well,
10 I'm not going to say never, but I can't recall, you
11 know, someone raising a Rule 702 challenge to the
12 accounting output of a company in the past, so I
13 interpreted this Rule 707 to incorporate 702 to be
14 addressing an analogous use of machines the way humans
15 are used under Rule 702.

16 CHAIR FURMAN: All right. Thank you.

17 MR. SIFFERT: Well, facial recognition is
18 something that happens all the time and it's not
19 generated for trial, it's generated for, you know,
20 who's coming into the store.

21 CHAIR FURMAN: All right. Thank you. Yeah,
22 John, we'll give you the final word there.

23 Mr. Friedman, thank you for your testimony
24 and your written submission.

25 We'll proceed with the next witness, Stephen

1 Herman.

2 MR. HERMAN: Good morning. Thank you for
3 the opportunity to appear. I echo many of the other
4 comments, but my principal concern is this. I was,
5 among other things, one of the lead counsel in the BP
6 oil spill litigation, and on a drilling vessel like
7 the Deepwater Horizon, you have a very sophisticated
8 GPS system that maritime workers use on these
9 thrusters to keep the drilling rig, which is a few
10 thousand feet above the sea floor, "on station" over
11 where they're drilling for oil. At the same time, you
12 also have a lot of monitors that are gauges that are
13 read by people for down-hole pressure so that people
14 can try to determine whether there's a kick, either
15 because there's very unusually high pressure or
16 unusually low pressure.

17 Now the workers that are actually doing the
18 monitoring and relying on this information probably
19 don't know exactly how these machines work, what the
20 "methodology" is, what the error rate is, if any, what
21 training data the technology is based on, or the
22 extent to which the output has been validated, and
23 perhaps and hopefully, this type of evidence would
24 come in under 602 or 701.

25 However, I could easily see the party for

1 whom this evidence isn't favorable, it could be either
2 party, and, incidentally, I don't think this would
3 happen in my experience until the very end of the case
4 management process, shortly before trial, where people
5 are objecting to exhibits on an exhibit list, they
6 say, well, wait a second, these aren't facts, these
7 are opinions. If you asked a human witness
8 specifically where was the vessel at this exact moment
9 in time or what was the down-hole pressure at this
10 particular time, that would require scientific or
11 technical knowledge to answer. In cases like
12 Deepwater Horizon, where there's so much at stake,
13 it's probably not that big of a deal. There's going
14 to be tons of experts testifying for everybody and
15 those experts can try to get the evidence in or refute
16 it or whatever.

17 But what if we're talking about just a
18 hundred thousand dollar Jones Act case? Now you've
19 got to go and you've got to hire an expert, and then
20 that expert would presumably be subject to attack
21 under 702 because, arguably, he or she isn't
22 sufficiently qualified, and all of this is wholly
23 collateral to the proceeding, and not only would the
24 parties have to spend a lot of time and money on this
25 collateral issue, but the court is going to have to

1 spend a lot of time considering and resolving the
2 issue just to get in evidence that one of the parties
3 and its employees routinely utilize and rely upon.

4 That's my main concern. I've expressed some
5 others in my written comments, and I echo the comments
6 of some of the other people that have testified and
7 submitted comments, and I'm happy to answer any
8 questions. Thank you.

9 CHAIR FURMAN: Yes, Jim Cooney?

10 MR. COONEY: So the example you bring, the
11 significance of that output isn't whether or not it's
12 actually true, it's what the employees knew and how
13 they reacted to it, but I do think it brings up a
14 larger point that the line we're trying to distinguish
15 between is simply machine-generated data versus a
16 qualitative decision-making process that a machine
17 might have to go through that renders an opinion and
18 it be the difference between the pressure gauge in
19 modern DNA analysis in which the machining is
20 beginning to make decisions about background noise,
21 what's secondary and what's not.

22 So help me understand how we can draw a line
23 between those two things? One is plainly opinion and
24 the other is plainly data.

25 MR. HERMAN: Well, I think it's very

1 difficult to do, particularly now without a lot of
2 experience in dealing with these issues, but I would
3 say that no, many cases, what the actual pressure
4 would be or where the vessel was might be in and of
5 itself relevant and material. It might not be the
6 major issue in the case, but it might be something
7 that has relevance and may be important, and, right
8 now, I would just get that in by cross-examining the
9 employee or a 30(b)(6) witness, or if it was my
10 evidence, I would get it in through a lay witness, and
11 now you're at least potentially, depending on whether
12 you're able to define the scope in the way that you're
13 talking about, creating a collateral process where
14 someone could at least argue that the other side's got
15 to go out and hire an expert to get it in.

16 CHAIR FURMAN: John Siffert?

17 MR. SIFFERT: But following up, aren't you
18 saying that, as Jim was pointing out, that at one
19 point, what you're offering into evidence is the fact
20 that it was said, the fact that that was the output,
21 the fact that that was what the employee heard? You
22 independently would have to prove through non-hearsay
23 that that was the truth. So I'm not quite sure I
24 understand why this adds greater burden.

25 MR. HERMAN: Because, if it's part of the

1 defendants, and I'll just take the point of view of
2 the plaintiff, although it doesn't necessarily have to
3 be, but if it's something that the employees relied
4 on, a printout or whatever it is, it's basically the
5 business records of the company or something that's
6 validated by the witness. Now the jury can reject
7 that at the end of the day, or whoever wants to
8 challenge the evidence can come in with an expert and
9 say, well, just because that's what the gauge said or
10 just because that's what the worker saw, that doesn't
11 mean that that's the location.

12 And I can tell you all the reasons why
13 that's unreliable, et cetera, but just to get
14 something routinely in, just to establish the facts of
15 what occurred, not the mental impression of the
16 worker, that may be relevant or may not, but just the
17 underlying facts just because it's machine-generated,
18 now, if someone wants to maybe spend time, money, and
19 effort and make the court spend time to review that
20 stuff, then, arguably, under this rule, they would be
21 able to make me do that. That seems very wasteful and
22 unnecessary.

23 CHAIR FURMAN: Thank you.

24 Any further questions for Mr. Herman?

25 (No response.)

1 CHAIR FURMAN: All right. Thank you very
2 much, Mr. Herman. Appreciate your testimony and
3 comments.

4 Our next witness, Melissa Kotulski, wasn't
5 able to join us, so we will proceed to the one after
6 her. Just giving fair warning, depending on where we
7 stand, I may call an audible and move Mr. Redgrave,
8 who was scheduled for after the break, to before our
9 break, and I'll see where things stand, but we will
10 proceed with David -- forgive me if I mispronounce
11 this -- Nagdeman.

12 MR. NAGDEMAN: David Nagdeman. Thank you,
13 Honorable Chairman and Committee members. My name is
14 David Nagdeman. I am a partner at Langer, Grogan &
15 Diver in Philadelphia, a boutique consumer protection
16 and antitrust firm. I also teach remedies at Temple
17 Law, and I'm appearing before you today as a
18 representative of the National Association of Consumer
19 Advocates, or NACA. NACA's members and their clients
20 are actively engaged in promoting a fair and open
21 marketplace that forcefully protects the rights of
22 consumers, particularly those of modest means. Thank
23 you for the opportunity today to testify in front of
24 the Committee about proposed Rule 707.

25 You know, as consumer advocates, we

1 recognize the fast-developing proliferation of
2 machine-generated and particularly large language
3 model generated materials, as well as issues with
4 their reliability and issues with their capacity to be
5 instruments of mistakes and of fraud. You know, for
6 many years, we've been fighting issues over credit
7 reporting, over discriminatory biases in loan
8 decisioning software, and so we sort of see firsthand
9 how often machine-generated materials can be
10 unreliable, can express biases, and so we agree with
11 the Committee. We share the Committee's regard that
12 this information, when enters into court records, into
13 the evidentiary record, should be reliable.

14 However, we are concerned with Rule 707 as
15 it's presently drafted. In my written testimony, I
16 proposed three points and I'll try to address all
17 three of those briefly here. The first point is
18 something that has been addressed repeatedly by my
19 colleagues and I think it's also been raised here by
20 members of the Committee in that machine-generated as
21 it currently stands is sort of broad and undefined.
22 It's not clear whether it's just applying to
23 information for outputs that are generated after
24 litigation has commenced, whether it's also applicable
25 to machine-generated materials that were in existence

1 at the time of whatever alleged wrongdoings occurred,
2 and we believe this over-inclusive rule creates many
3 problems that my colleagues have noted.

4 And we also believe that up to the present
5 at least, we think in the civil spaces, one won't
6 pretend to speak for the criminal spaces, that courts,
7 federal District Courts, have been handling these
8 evidentiary issues with the rules available to them,
9 whether that's through 702, whether that's through lay
10 witness testimony and the use of what they're seeing
11 in their machines, and so we don't believe at least
12 until the rule is refined to define those distinct
13 categories that it should go forward, and we're also
14 concerned in terms of those definitions that the rule,
15 that the Committee report suggests that it's really
16 targeted at large language model based outputs, and at
17 present, again, it just sort of over-inclusively
18 includes any sort of machine-generated materials.

19 On the second point, we are concerned,
20 particularly when it comes to materials that are often
21 reported on by experts in the report, suggested loss
22 causation analysis, various forms of copyright
23 analysis. Those are traditionally matters that are
24 presented by experts, and those various assumptions
25 that the experts bring into their models can be tested

1 through rigorous cross-examination because the experts
2 are the ones who develop the model. If you put a lay
3 witness on who's going to speak about what happened
4 when they pushed the button that said was there loss
5 causation here, was there a copyright violation here,
6 they're not going to have access to those data points
7 as to, you know, what else was going on in markets
8 that might correlate with a loss causation analysis,
9 why did you choose to privilege certain assumptions
10 rather than others.

11 And I think that kind of gets to our third
12 point, which we're concerned with the suggested forms
13 of testing the reliability of LLM-generated opinions,
14 that there wouldn't be capacity to test their
15 reliability by viewing their code, their training
16 data. As other witnesses have testified, that's
17 highly proprietary information. It's a huge amount of
18 information. There would, no doubt, be extensive
19 additional discovery and litigation battles, motion
20 practice over what is sort of fully transparent and
21 reliable and relying on judges who aren't necessarily
22 experts in these technological spaces making these
23 calls.

24 Again, while we appreciate the Committee's
25 efforts to address large language model, in particular

1 information, as it's coming to be more prevalent in
2 society and in the courts, we do think that, at
3 present, the civil rule at least for purposes of civil
4 litigation, the Federal Rules of Evidence are
5 sufficient at present, and to the extent that the
6 Committee does move forward on Rule 707, we do request
7 that the Committee try to clarify precisely what
8 evidence it's being applied to.

9 CHAIR FURMAN: Thank you. Quick question.
10 The current version of the note sort of makes, I
11 think, pretty clear that in general, you know, to meet
12 the requirements of the rule, you may require an
13 expert, you know, it may require expert testimony. I
14 wonder what your thoughts are with respect to sort of
15 moving that from the note to the rule itself and
16 saying something to that effect, that, you know,
17 usually this would require actually proffering an
18 expert to explain the reliability of the tool even if
19 an expert wouldn't testify at trial. What are your
20 thoughts about that?

21 MR. NAGDEMAN: I mean, I certainly would be
22 in favor of requiring expert testimony, some sort of
23 hearing to determine that reliability, but I think we
24 are also concerned, you know, whether it's Seventh
25 Amendment, whether it's sort of more traditional

1 understandings of common law, that the jurors are fact
2 finders, and Rule 702 in particular, courts, when they
3 apply a Daubert challenge, understand that they're
4 just doing a first step. They're making sure it's
5 reliable enough to go before the jury, but the jury
6 still needs to fulfill a role in the fact-finding
7 process to weigh the credibility, to weigh the
8 veracity of that model and the assumptions that went
9 into it, so while it might address that first issue in
10 terms of the initial reliability, it doesn't sort of
11 solve the lack of the jury's participation in that.

12 CHAIR FURMAN: Thank you. I'm going to go
13 in reverse order, John Siffert. Remember to unmute.

14 MR. SIFFERT: Yeah, I tried to unmute it.
15 First question is with respect to the definition.
16 I've been trying to come up with something that
17 addresses everybody's concern, and the formulation
18 that I keep coming back to is that there's a
19 distinction between pure output, which is aggregated
20 and then spit back, as opposed to something that's the
21 result of prompting, so prompted output as opposed to
22 output. Does that help in any way?

23 MR. NAGDEMAN: You know, I think there's
24 some technical sort of issues that you might get into
25 there because, when you write a program, when you

1 write a script, in theory, you're prompting it, and so
2 all you're talking about when you're talking about,
3 okay, is it something that's viable for prompting is a
4 machine that has a user interface that permits the
5 user to kind of, you know, toy with the machine, so
6 it's, I think, kind of a spectrum where the interface
7 of the prompting is happening, so I don't
8 necessarily --

9 MR. SIFFERT: This is trying to address the
10 thermometer, not using thermometer as an example,
11 which a lot of people don't like, and I'm thinking
12 that's an example of an unprompted output.

13 MR. NAGDEMAN: I mean, I think you're
14 getting closer to sort of how large language model
15 systems are used, and so I think I appreciate that
16 direction that trying to target a rule at that is sort
17 of targeted the way I'm understanding, you know, large
18 language models as sort of really something that
19 provides lay individuals access to some of these
20 algorithms and some of these more powerful computing
21 processes.

22 MR. SIFFERT: And very briefly, a second
23 point is that you surmise that the computer might
24 generate an opinion that something is a copyright
25 violation. That might transcend what I think is

1 anticipated, and I think the way this is drafted, that
2 would require -- that's an ultimate fact.

3 MR. NAGDEMAN: I mean, I may have been being
4 glib, but the copyright issues were sort of the two
5 examples, and I suppose what the machine might
6 generate, and, you know, please correct me if I'm
7 wrong, would be that there's a certain amount of
8 similarity --

9 MR. SIFFERT: Yeah, right.

10 MR. NAGDEMAN: -- you know, a percentage of
11 similarity between these two things --

12 MR. SIFFERT: Right.

13 MR. NAGDEMAN: -- but there's still a number
14 of assumptions that are going to go into what's making
15 this more similar. Is it the colors you're choosing?
16 When it comes to code, you know, there's only so many
17 functions that a computer program can utilize. Is it
18 because you're using the same functions? Is it
19 because the text is actually exactly similar? It's
20 important to understand precisely what those
21 assumptions are that would increase the percentage of
22 similarity there between those, the lawyers.

23 MR. SIFFERT: I have more, but I have to
24 yield to Dan.

25 CHAIR FURMAN: All right. Dan?

1 PROF. CAPRA: I have a couple, but instead
2 of machine-generated evidence, what do you think about
3 information generated by a process of artificial
4 intelligence as controlling the rule?

5 MR. NAGDEMAN: I think that, again, gets
6 closer to where we would need to see it or where we'd
7 appreciate seeing it. You know, I'm hesitant to call
8 it artificial intelligence. I think these are large
9 language models that have different kinds of outputs,
10 so, you know, I would recommend that the Committee be
11 precise and consult a technical expert in terms of how
12 to define that. That's sort of meeting more
13 contemporary technical definitions because I do think
14 this rule is trying to get into very weedy technical
15 areas with broad brushes, which is, you know, what
16 courts do every day.

17 PROF. CAPRA: Right.

18 MR. NAGDEMAN: But, given sort of the width,
19 the breadth of the way that the definition can be
20 applied at each of these levels, I think we do need to
21 be careful about those definitions.

22 CHAIR FURMAN: All right. Thank you very
23 much, Mr. Nagdeman. Appreciate it.

24 MR. NAGDEMAN: Thank you very much.

25 CHAIR FURMAN: I concur with John that

1 people seem to have strong feelings about
2 thermometers. That is definitely clear.

3 We will proceed with the next witness,
4 Nicole Owens. You can turn your camera on and join
5 us. You may proceed.

6 MS. OWENS: Thank you. Thank you, Committee
7 members. My name is Nicole Owens. I'm the Federal
8 Defender in the District of Idaho. I'm a former chair
9 and current member of the Defender Working Group on
10 Technology, and that group has spent the last several
11 years dealing with issues related to generative AI and
12 machine learning. As Professor Capra noted, the
13 witnesses that practice in the civil framework have
14 suggested that Rule 707 will create a gap which would
15 potentially allow machine-generated evidence to come
16 in without expert testimony. But, because I practice
17 in criminal law, I see it differently.

18 From my perspective, Rule 707 closes a gap
19 that already exists and helps ensure that the
20 reliability standards in Rule 702 apply even when
21 evidence comes in without a human expert. Rule 707
22 addresses an issue that is fairly narrow but
23 important, and these gaps that we're seeing do appear
24 in trial court, where new technologies are introduced
25 in ways that don't fit neatly within existing

1 evidentiary categories. I think some of the existing
2 evidentiary rules help at the margins, but they don't
3 fully address the problem, and Rule 707 ensures that
4 Rule 702's reliability protections continue to apply
5 when machines perform expert-like work.

6 We're already seeing this type of evidence
7 come in in the trial court. As was noted earlier,
8 facial recognition technology, license plate readers,
9 shotspotter classifications, audio and video
10 enhancements, and AI-generated transcripts and reports
11 are increasingly utilized by law enforcement and other
12 witnesses, often without triggering Rule 702. That
13 typically happens because the government presents the
14 machine's output through an officer or a custodian who
15 can explain how they used the system but not how the
16 system created the evidence.

17 These witnesses are not designated as
18 experts. They don't claim to understand the
19 methodology. They're simply reporting what the system
20 told them, and, as a result, sometimes courts are
21 treating this as technical output rather than expert
22 analysis, and so the reliability inquiry that Rule 702
23 would normally require never happens. Rule 707 helps
24 ensure that the reliability question gets asked based
25 on what the system is doing and not how the evidence

1 is labeled.

2 Judges already conduct these hearings in
3 appropriate cases. Rule 707 doesn't create a new kind
4 of hearing. It simply extends a familiar one to
5 situations where machines are doing the work that an
6 expert would historically perform. In many cases,
7 systems, like experts, will meet that standard without
8 much difficulty. In other cases, the rule may prompt
9 a review that would not otherwise happen, but that
10 review is exactly what the evidence rules are meant to
11 do, which is to keep unreliable or misleading evidence
12 away from the jury.

13 I believe that that review is time well
14 spent, especially when evidence carries a scientific
15 or technical aura that can be very persuasive to
16 jurors. I appreciate today's testimony and the
17 Committee's interest in refining the rule's scope and
18 clarifying terms. I believe that precision does
19 matter, and we don't want to sweep in routine or
20 purely mechanical tools, such as thermometers. At the
21 same time, I would encourage caution about narrowing
22 the rule too tightly to technical labels, such as
23 artificial intelligence.

24 In practice, many of the systems that
25 perform expert-like analytical work do not fit neatly

1 into categories and yet still produce conclusions that
2 substitute for human expertise. My belief is that
3 from a trial-level perspective, the more durable
4 question is what the system is doing, not what it's
5 called, so, as we talked about prompted types of
6 inquiries, if an output is performing work that would
7 historically require an expert, I believe Rule 707
8 should apply.

9 I would encourage the rule to focus on
10 machine-generated evidence rather than reframing it
11 around whether an output qualifies as an opinion. I
12 think the language used to define what triggers this
13 rule will shape the litigation that it does generate,
14 and a term like opinion invites disputes over
15 classification whether something is a fact, an
16 inference, an opinion or a tool rather than focusing
17 courts on the question the rule is meant to answer,
18 whether the evidence is reliable. I think a neutral
19 term like output or evidence keeps the emphasis on
20 reliability rather than labels, avoids unnecessary
21 line drawing, and reduces the risk of resource-
22 intensive satellite litigation.

23 I think, for similar reasons, anchoring the
24 rule to 702's framework, focusing on outputs that
25 would be expert testimony if offered by a human,

1 strikes me as the most practical and future-proof
2 approach. It avoids tying the rule to technical
3 definitions that may evolve while keeping the scope
4 principled and limited. Put simply, I see Rule 707 as
5 a modest but important clarification of existing law.
6 It helps ensure that Rule 702's reliability
7 protections continue to function as technology
8 evolves. I appreciate the opportunity to share these
9 thoughts and for your careful work, and I welcome your
10 questions.

11 CHAIR FURMAN: Thank you, Ms. Owens.

12 Mark Massa?

13 JUDGE MASSA: Thank you, Ms. Owens. I have
14 a question about the license plate recognition
15 technology that you referenced. How in your view
16 would the rule as proposed apply to to that kind of
17 evidence specifically?

18 MS. OWENS: That's a great question. If it
19 was going to be introduced through a law enforcement
20 officer at trial, I would see either that the law
21 enforcement officer needed to be designated as an
22 expert and that some sort of Daubert-like inquiry go
23 on into how that technology works, how the algorithm
24 produces that result, how the technology is
25 performing, and function, and whether it's reliable or

1 not, so, again, that very critical reliability
2 assessment would happen either prior to the testimony
3 coming in or simply through cross-examination or
4 through direct examination through an expert-like
5 inquiry.

6 JUDGE MASSA: If I could quickly follow up?
7 Is that kind of inquiry occurring in the absence of a
8 707?

9 MS. OWENS: Your Honor, I believe that it is
10 inconsistent and that it's happening in some courts
11 but not in others, depending on whether the court
12 believes that 702 should apply and whether the court
13 believes that the law enforcement officer needs to be
14 an expert in order to produce that kind of testimony,
15 so, in some cases, yes, but, unfortunately, in some
16 cases, no. They're simply saying, this is what
17 happened. This is why I did what I did. This is why
18 I pulled this car over. And we're never getting into
19 the reliability of the technology itself.

20 CHAIR FURMAN: Thank you. Jim Cooney?

21 MR. COONEY: So let me pose one, another
22 test that I think we're all familiar with, the
23 breathalyzer in an intoxication standard. Obviously,
24 it's usually presented through a technician who says
25 it was calibrated, it had been tested, but that

1 technician generally has no idea whatsoever about the
2 complexities of the science and the underlying data
3 for the science, but we've accepted that for years, so
4 I think the question is, do you see this rule as kind
5 of reopening that so that things like a breathalyzer
6 that, you know, the state or the United States would
7 now have to present, you know, an expert testimony
8 about the science underlying the breathalyzer?

9 MS. OWENS: I think that the breathalyzer
10 may be the criminal equivalent of a thermometer. I
11 would not see that this would change the way that
12 courts treat breathalyzers. I think that they're
13 typically understood to be a measuring instrument and
14 they're not performing independent analytical work, so
15 it's not doing the work of an expert, and I would not
16 expect that Rule 707 would be triggered through a
17 breathalyzer.

18 Now, for example, if somebody wanted to do a
19 retrograde extrapolation and put into ChatGPT the time
20 that their client was driving and that it had been two
21 or three hours and, you know, have ChatGPT calculate
22 what their BAC would have been at the time they were
23 driving rather than three hours later and how much
24 they had eaten, I think that that would trigger Rule
25 707.

1 CHAIR FURMAN: All right. Last question.

2 Dan?

3 PROF. CAPRA: Yeah. Also, I just would add,
4 with breathalyzers, there's a lot of jurisdictions
5 that find them judicially noticed as being reliable,
6 so that takes care of that. I was wondering, and I've
7 been thinking about this, with respect to that
8 language, you know, simple scientific instruments, the
9 way you pitch the rule, it's kind of the way I've been
10 thinking about the rule, you really don't need that,
11 right, because, if it's something that an expert would
12 provide, it's not going to be a simple scientific
13 instrument, it's going to be something else, and so,
14 in a way, it's kind of superfluous, that language.

15 MS. OWENS: I agree, and I think that we
16 inherently understand that if something is being
17 introduced that would historically have been testified
18 to by an expert versus something like a breathalyzer
19 or a thermometer, the rule wouldn't be triggered, so I
20 do agree that that language is not needed.

21 CHAIR FURMAN: All right. Thank you, Ms.
22 Owens. That brings us exactly to the halfway mark of
23 our witnesses today, so I think we will take our break
24 now. It's a little earlier than it had been
25 scheduled, but I think it makes sense since we're

1 halfway. It is 11:13 Eastern Time at least according
2 to Apple, which I go by. Let's take a 10-minute
3 break. We'll keep it a little shorter, so we'll start
4 promptly at 11:23. I would encourage people to turn
5 off their cameras and mute their Teams devices during
6 the break, and I'll come on again at 11:22 or so and
7 we'll be ready to go. Thank you very much. See you
8 shortly.

9 (Whereupon, a brief recess was taken.)

10 CHAIR FURMAN: All right. That brings us to
11 11:23. I try to keep my word on these things. So we
12 will pick up where we left off with Jonathan Redgrave.
13 Mr. Redgrave, if you are on, please join us.

14 MR. REDGRAVE: Good morning. I appreciate
15 the opportunity to testify this morning, and I
16 appreciate all the work the Committee has done on a
17 very challenging issue, quite frankly. By way of
18 background, I've been an attorney since 1991. I
19 practice in state and federal courts around the
20 country and have had quite an opportunity to engage in
21 the development of technology and seeing the impact of
22 technology on various rules, civil and otherwise. I
23 will be submitting written comments by the February 16
24 deadline. I just haven't been able to get to that
25 finality yet.

1 With respect to the task before the
2 Committee, I recognize that you've been working at
3 this for a number of years, and I see lots of promise
4 in where the Committee has been headed with this rule.
5 I think it is critical to address the advent of
6 technology of new types and forms that we haven't seen
7 before and the impact that that is going to have on
8 our judicial system and trying to get our hands around
9 how to deal with the outputs. I think the last
10 speaker, Ms. Owens, was very apt to kind of state the
11 expert-like opinions, right? So it's kind of this,
12 what is the output? What are we actually looking at?

13 And I've seen the definitional issues be one
14 where people are kind of raising the flag. I think
15 Professor Roth's observations on this in her written
16 submission, I know she's also testifying, are keen on
17 this, and I really encourage the Committee to, despite
18 the years of work that have gone into this, when
19 you're listening to the comments from actually, quite
20 frankly, both sides of the aisle and you've got this
21 interesting mix that Professor Capra noted of the
22 difference between criminal and civil, there are a lot
23 of concerns raised here.

24 I don't want you to fall victim to the sunk-
25 cost fallacy that with all the work done and as a rule

1 and we've got some familiarity with 702 that judges
2 can apply, so 707, we should do something now. I
3 really think a short pause to be able to see if we
4 could address in a better rule some of the
5 definitional elements and then ensure that we're not
6 falling into the trap that a lot of people are falling
7 or are noting with respect to the potential impact of
8 opinions coming in or opinion-like evidence coming in
9 without sufficient foundation because the courts are
10 ill-equipped to really deal with what exactly is going
11 on.

12 I do disagree with some of the folks that
13 are saying we don't have enough anecdotal evidence on
14 the civil side. I think this issue is in front of us
15 right now. At the same time, I don't want the rule to
16 suddenly be a artificial barrier to evidence that
17 should come in otherwise, whether it's business
18 records or some other means, and we were talking about
19 breathalyzers just a few moments ago and we've also
20 talked about license plate reading and comparisons.
21 There are some things that really should continue to
22 come in and be governed, and the judges around the
23 country already know how to deal with evidentiary
24 foundational issues.

25 And so I think there's a huge tension that

1 you're seeing in the comments, the comments that I've
2 been reading, the testimony that I've heard so far,
3 and I just encourage the Committee to be able to take
4 a step back to say is it amending this rule right now
5 and moving forward that'll be the best path, or would
6 stepping back and saying do we need some sort of
7 connective tissue with the civil rules or some of the
8 other rules for disclosures if we're actually getting
9 further towards allowing expert-like opinions from
10 computer-generated outputs that are not tied to a
11 specific human expert, right? I think that's a lot of
12 what you're seeing on the tension on the side of the
13 civil litigators that are making comments here on the
14 rule itself.

15 I will say, I think, Professor Capra, on the
16 last witness, you noted that perhaps just dropping
17 that entire sentence might be the solution set. I
18 would kind of agree with that if the rule was going
19 forward. I don't think it's necessary. It is kind of
20 superfluous in a sense, the simple scientific
21 instrument, and it seems to get people hung up. I
22 think you can accomplish the goal without that, but my
23 more fundamental challenge with all of this is it's
24 kind of going down the road of really quickly enabling
25 in the litigation context.

1 I'm not talking about business as usual or
2 government as usual or people as usual, how they may
3 be using AI and how we have to sort out what was done
4 or not done or what went wrong, right? Those are
5 facts, and maybe we have to look at how the AI
6 operated in the ordinary course of business. When
7 we're in the litigation context and we start using the
8 brain of AI to actually give us, well, what really was
9 the causation, what really was the fault, and you're
10 actually having it come to conclusions as if it was
11 based on specialized knowledge when it's not.

12 AI tools, especially LLMs, are really
13 predictive tools that really are guessing very well
14 what you need to know, but no less than you would not
15 qualify me, even if I had perfect memory of the
16 Internet and I could perfectly predict what you want
17 to hear, that would not make me an expert to be able
18 to talk about medical causation or the like.

19 So I think the Committee is really dealing
20 with this proactively, which I really encourage. I
21 think the current formulation, however, is leaving too
22 many questions on the table, especially with a lack of
23 a definition, and I'm seeing everyone kind of rope
24 around that here even in the discussion today, and I
25 really think that we could get to a better rule with

1 maybe it's a year, maybe it's two, maybe it's just a
2 year worth of additional input and thought.

3 And, again, I think this is terribly unfair
4 to the Committee because you've had, you know, three
5 years you've been working, at least three years or
6 more, that you've been working at this, and a lot of
7 us have, you know, come to the table maybe later than
8 you were at the table, and I don't want you to take
9 from this any lack of respect for the work you've done
10 or disrespect for, I think, progress that's here. I
11 just think more work needs to be done before we
12 actually go out because of the potential of unintended
13 consequences.

14 CHAIR FURMAN: All right. Thank you. Two
15 comments. One, I see you're in a car. I hope and
16 trust that you're not driving while you're speaking.

17 MR. REDGRAVE: I am not driving, sir. I am
18 safe.

19 CHAIR FURMAN: Okay. Good. Glad to hear
20 that. Second, no disrespect taken. Indeed, when we
21 put this rule out for comment, we expressed that, you
22 know, there was no assumption that it would be
23 adopted, that we were very eager for the input, and
24 this input has been very helpful, certainly to me, and
25 I think we will have some thinking to do on that

1 front, so no disrespect taken.

2 Any questions for Mr. Redgrave?

3 (No response.)

4 CHAIR FURMAN: All right. Seeing no
5 questions, thank you very much, Mr. Redgrave, you may
6 now drive your car.

7 MR. REDGRAVE: Thank you very much. I will
8 continue listening. Thank you.

9 CHAIR FURMAN: All right. Very good.
10 The next witness is John Rosenthal. My
11 understanding, Mr. Rosenthal, is that you have
12 switched firms since the schedule was -- well, that
13 the schedule does not reflect your current firm. I'll
14 put it that way, so you may want to clarify that, and
15 you may proceed.

16 MR. ROSENTHAL: Thank you, Your Honor.
17 Thank you, the Committee. You are correct. I am now
18 at Shook, Hardy & Bacon after being at Winston &
19 Strawn for the last 15 years. So thank you for the
20 Committee for your tireless efforts here. I've been
21 involved in the rules process since about 2000, so I
22 know how much hard work is involved, and I appreciate
23 the efforts.

24 Just by way of background, I'm a complex
25 commercial litigator doing class litigation and MDL,

1 but I also have an extensive practice like Mr.
2 Redgrave in e-discovery, information governance, and
3 also artificial intelligence, so I think my practice
4 gives me a unique perspective not only of the
5 technology issue but its practical uses as we're
6 seeing in the courtroom and in discovery.

7 I agree with Mr. Redgrave that the Committee
8 has identified a real problem, and I think it is a
9 problem. We are seeing this kind of evidence being
10 offered in discovery, and we're going to see more of
11 it offered in trials. I do agree with the Committee
12 that there's a real gap between authenticity, which is
13 a relatively low bar, versus reliability, and I don't
14 believe there's a current mechanism in place under the
15 rules to address reliability, so I do think the rule
16 is important.

17 I would agree, you know, if you look, with
18 the majority of the comments, that perhaps a pause
19 should be taken and perhaps the rule refined rather
20 than proceeding as is. I think proceeding as is, the
21 current rule may create more problems than it solves,
22 and I want to talk about a couple of those issues, and
23 I think the first one really is language. The draft
24 rule talks about machine-generated evidence, and we've
25 heard testimony, and I read all the comments, and I

1 think the universal comments are it's vague and
2 overbroad, and I believe it is because, when you look
3 at the actual computer science underlying this, you
4 have a whole variety of terms. You have artificial
5 intelligence, you have machine learning, you have
6 large language models.

7 Some version of those technologies have been
8 around for decades, right, and we've seen a whole
9 variety of those computer mechanisms being used to
10 generate information which is often offered in court
11 and admitted in court and sometimes excluded in court.
12 I think what the rule is currently missing is the
13 transformation in November of 2023 with the advent of
14 generative AI.

15 Generative AI, while it may be a version of
16 artificial intelligence, while it may be a version of
17 machine learning, while it may be a version of large
18 language models, it's tremendously different. It's
19 different because it's designed to generate new
20 information based upon a probabilistic calculation and
21 training. That means it's necessarily likely to
22 hallucinate and therefore may be inherently
23 unreliable, and my concern with the current rule is,
24 and even many of the solutions is, we're focusing on
25 machine-generated evidence. That's far too broad, and

1 I think what we have to do is focus on what we're
2 really concerned about, which is generative AI
3 outputs, and let me give some examples, and I gave
4 this to Judge Furman and Professor Capra in New York.

5 All right. Let's take a plaintiff in a case
6 that is alleging a product causes cancer, right? So
7 they take a scientific study, a medical study, and
8 they offer a generative AI summary of that study. Is
9 that what we're talking about? Probably not. They
10 take 10 studies and they take the gen AI and say,
11 summarize these 10 studies. Closer? Is that what
12 we're talking about? They then take the 10 studies
13 and they ask the generative AI to not only summarize
14 the studies but come to a conclusion as to whether the
15 10 studies support a conclusion of cancer. Pretty
16 close.

17 Each of the 10 studies have data attached to
18 them. Now take the 10 studies, the data, and create a
19 meta analysis, right? Are we talking about that?
20 Probably. Well, let's take the meta analysis, review
21 the meta analysis, and identify whether there's a
22 correlation between the data, the product, and the
23 cancer. Clearly, we're talking about that, right?
24 So, you know, part of the problem is, what are we
25 really trying to identify? I'm not sure we're trying

1 to identify or solve a problem about simple summaries
2 or even simple data.

3 I think we're trying to talk about opinions
4 that would normally be offered through an expert, and
5 my suggestion here is that we really need to focus on
6 that and we need to focus on generative AI output, and
7 while I appreciate a lot of the suggestions in terms
8 of solutions output of a process of machine learning,
9 machine learning outputs, machine opinions, and even
10 Professor Capra's proposed solution in his October
11 writing on the alternative output of a process of
12 machine learning, in all those, we're still focused on
13 machine learning, and it's far too broad.

14 I see you have a question, Judge Furman.

15 CHAIR FURMAN: Yeah. I was just doing what
16 I --

17 MR. ROSENTHAL: Okay.

18 CHAIR FURMAN: -- said I'd do earlier, which
19 was signaling that you should wrap up so we could
20 leave time for questions, but if you're open to
21 questions, I --

22 MR. ROSENTHAL: Okay. I will quickly wrap
23 up. So I believe, if we're going to solve this, we
24 really need to focus on what the issue is at hand. I
25 have a second concern, which is I know we put in the

1 Committee notes that there's a favor or predilection
2 towards expert testimony. I think that that should be
3 stronger, more clearly stated, that there actually
4 should be a presumption in favor of expert testimony.

5 I'm also concerned that the rule does not
6 have any mechanisms or set forth any mechanisms about
7 how a judge is supposed to apply a reliability
8 standard here, and while I appreciate the comments
9 that there should be coordination among the various
10 committees, I realize how complex that is. While I'm
11 not aligned with Professor Roth on a lot of her
12 opinions in her proposed comment or her comment, I
13 think the Committee should seriously consider her
14 proposal to import certain components of 702 into this
15 rule. I think that it is problematic to have the
16 reference to 702. Still, I would import the factors
17 that are going to be there.

18 And my last comment is, you know, the rule
19 almost flips the evidentiary burden on its head. It
20 doesn't specify under what circumstances where you
21 cannot satisfy your obligations through not producing
22 an expert when do you have an obligation to put forth
23 an expert. Instead, it appears to put the burden on
24 the opponent to come forward with an expert and prove
25 that the evidence is unreliable. That seems to be

1 flipping itself on the head. It seems to me there has
2 to be some kind of litmus test in there or some
3 vehicle upon which the judge or the opponent can ask
4 or the judge can insist that expert testimony is
5 required before the evidence can be admitted.

6 CHAIR FURMAN: All right. I have a question
7 about that, but why don't I call on Dan first.

8 PROF. CAPRA: I'll leave that question,
9 which I would have too, to Judge Furman. I was just
10 going to say that if we use the term "generative AI,"
11 and I don't want to steal Professor Roth's thunder
12 from her, but I know what she would say, that that's
13 not broad enough, that there's a lot of sophisticated
14 algorithms out there that are tantamount to expert
15 testimony, but they don't constitute generative AI,
16 that would then still fall within the cracks.

17 MR. ROSENTHAL: I would say you are probably
18 right. I was trying to identify the majority of the
19 problems and a practical solution here. There have
20 been machine-generated algorithms out there for years
21 that have done that. They haven't tended to be a
22 problem to date. I think the difference is the ease
23 and use of generative AI, which is going to be
24 commonplace, and I would think a majority of computer-
25 generated information coming out of organizations is

1 going to be generated in some way with generative AI.

2 CHAIR FURMAN: On the flipping the burden
3 point, can you just explain that because, certainly,
4 that, I don't think, is the Committee's intention, and
5 I think the Committee, you know, thought that in
6 writing the rule as it did that it would put the
7 burden on the proponent of the evidence to show that
8 the evidence satisfies the requirements of Rule 702(a)
9 through (d), so what makes you think that courts would
10 construe it the opposite way?

11 MR. ROSENTHAL: Well, my concern is that, as
12 drafted, 707 is going to be a huge hole which I think
13 a lot of people will try and drive a truck through to
14 try and get evidence admitted without an expert. I
15 think at some point it's going to become obvious that
16 an expert would be needed, but the question is, where
17 is that burden? Is it my burden opposing the evidence
18 to come in with an expert? I think at some point it's
19 got to be clear that it's the proponent's burden to
20 come forward with an expert, and, right now, there's
21 no litmus test or no relationship between 702 and 707
22 as to when an expert would be required.

23 CHAIR FURMAN: All right. Thank you.
24 Appreciate your comments and appreciate your comments.

25 Next is Professor Roth, my law school

1 classmate and someone who has worked with the
2 Committee since the very beginning of our thinking
3 about this subject. Thank you for your thorough and
4 helpful written submission and the help you've given
5 us in the past, but with that, you may proceed.

6 PROF. ROTH: Thanks, and thanks so much to
7 the Committee. So I'm not going to regurgitate my
8 written testimony, but I thought maybe I would say a
9 few points of clarification based on the discussion
10 thus far and then hopefully leave some time for any
11 questions you might have.

12 So I think the key with respect to some of
13 these comments and what the rule does and doesn't do
14 is twofold. First of all, it does not create a new
15 loophole in any way. It recognizes that there's a
16 loophole in the status quo, and that is that when you
17 do not have a human expert attached to a computer
18 conclusion, if you will, then there's nothing other
19 than authentication requirements, maybe Rule 403, et
20 cetera, that really puts any sort of brakes on that
21 and no reliability requirements, so it would just
22 impose Daubert on that if someone decided to go
23 forward without a human expert.

24 I think a lot of this can come out in the
25 wash, that if you really need an expert to explain to

1 a judge's satisfaction why something's reliable, then
2 you'll use an expert and we can use 702 just like we
3 have. This really is a loophole. Maybe it's a small
4 loophole, but it just closes it.

5 On the other hand, at the same time, all of
6 these concerns about, well, how do you apply Daubert
7 to machines and, you know, what about notice
8 requirements and what type of data will you have to
9 disclose, the beauty or curse, if you will, of the
10 status quo is that judges are already having to deal
11 with that. They are applying Daubert to machine
12 conclusions all the time. It's just that it's as a
13 method of a human expert and they're doing it under
14 702.

15 So all of these questions on, you know, do
16 you need the source code, you know, do we need to look
17 at the evaluation data, et cetera, those are all
18 questions that judges are currently dealing with under
19 702 when a human expert uses a computer method as
20 their method, so there's no new problems here that I
21 see. It's simply pointing out that Daubert should
22 apply even in the absence of a human expert.

23 I won't belabor other points on that because
24 I think Ms. Owens, you know, very artfully said along
25 the lines of what I would say a lot of these points.

1 Let me just make a couple more very specific points.
2 Number one is, with respect to the question of
3 terminology, I think this has already been said.
4 Professor Capra, you did Roth better than Roth can do
5 Roth, but I think I completely agree with you that
6 this is not about generative AI. This is not about
7 machine learning. This is about any sort of black box
8 process, if you will, that should be subject to
9 Daubert that otherwise wouldn't be. We can't see, we
10 can't look under the hood, if there's not a human
11 expert attached, and, again, like TrueAllele, for
12 example, is a DNA computer software program. It's got
13 170,000 lines of code. It's very complex. It doesn't
14 involve machine learning and it doesn't involve
15 generative AI.

16 The reason that this will not blow up I
17 think is what Mr. Cooney said, which is that the
18 elegance of the rule, if you will, is that it only
19 applies to conclusions of machines that would be
20 subject to 702 if uttered by a human, and so the whole
21 point is that it's only dealing with those sort of
22 analytical conclusions that are of the type that an
23 expert would make.

24 Okay. So the other thought is that with
25 respect to the concern about the 2023 amendments to

1 Rule 702, I think you could very easily -- I think
2 there were some allusions to, in my written testimony,
3 you know, just much more explicitly just using the
4 language of 702 instead of just alluding to it. You
5 could just add, instead of the court must find, you
6 could say proponent must demonstrate to the court that
7 it's more likely than not that the conclusion a) will
8 help the trier of fact, et cetera, just like what it
9 says at the beginning of 702. I think that would be
10 pretty easy.

11 And the only other two things I wanted to
12 say before opening it up would be, with respect to
13 Rule 703, Professor Capra, I think you're absolutely
14 right. Rule 703 is not an additional pathway to
15 admissibility. It also only applies to expert
16 opinions, by the way, so if there isn't a human
17 expert, Rule 703 doesn't help you or hurt you, so I
18 just don't see that as an issue in any way. It's
19 really about getting around the hearsay issue and
20 potentially the confrontation clause issue, as the
21 Supreme Court has said in Smith v. Arizona, so happy
22 to talk more about that.

23 And I think the rest, I'm hoping to see
24 where the Committee's concerns are. I could
25 definitely talk for five more minutes, but, if it's

1 more helpful to have a conversation, I would love
2 that, so let me stop there for now.

3 CHAIR FURMAN: Yeah, thank you. I have one
4 question which pertains to the disclosure issue that a
5 number of commentators have referenced or brought up.
6 You say that courts are already dealing with that at
7 least where these issues are arising under 702. I
8 wonder if you could speak to whether that has -- you
9 know, we've seen the problems that some speakers have
10 suggested would occur in the absence of a disclosure
11 rule in those cases, and then, relatedly, I mentioned
12 earlier the possibility of adding at least a notice
13 requirement to Rule 707 in the absence of a companion
14 amendment to the civil and criminal rules. Any
15 thoughts about that?

16 PROF. ROTH: Yes. It's my understanding
17 that it would be a non-starter to have some sort of
18 collaboration among committees, and so I recognize
19 that, you know, this Committee's role is to do what
20 they can with the rules of evidence, and maybe it has
21 a salutary effect on conversations in other rules
22 committees, but, yes, I think a notice requirement
23 would be appropriate and would go a long way towards
24 dealing with those concerns, but I also want to say
25 the concerns, again, don't seem to understand the

1 extent to which the loophole exists in the status quo.

2 And so, if the concern is, hey, somebody
3 will put on this machine opinion or this, you know,
4 ChatGPT result or whatever without a human expert and
5 I'm not going to know about it before trial when I'm
6 engaged in settlement negotiations, instead I'm not
7 going to know about it until the Daubert hearing, I
8 think the idea is, in the status quo, they could do
9 that and not even have a Daubert hearing and not tell
10 you about it under Rule 26 because it's not an expert,
11 and in the criminal rules, Rule 16.

12 And so the whole idea here is that you don't
13 need an expert for a lot of these things under the
14 status quo, and so there's already no notice
15 requirement, no Daubert, no this, no that when people
16 realize this more and more, and so it's true maybe we
17 can only do something about Daubert.

18 And the only other thing I would say is that
19 as I said in my written testimony, I think it's
20 completely appropriate for judges to consider the
21 extent to which these very key pieces of information
22 have been disclosed to the other party in determining
23 whether the proponent has met their burden under
24 Daubert even if they're not "discovery" requirements.

25 CHAIR FURMAN: Thank you. A brief

1 clarifying question about one thing and then I'll turn
2 to John Siffert. You had previously suggested in lieu
3 of the simple scientific evidence or instruments lying
4 sentence a sentence that talked about sort of, you
5 know, generally known by the public to be reliable or
6 something of that nature. I think you know that the
7 Committee considered and rejected that at the last
8 meeting. I take it from your current submission that
9 even without that, you think that deleting the simple
10 scientific instrument sentence is warranted and would
11 be an improvement?

12 PROF. ROTH: I do, and I think it sounds
13 like there's a pretty clear consensus on that from the
14 commentators. I agree with all of that, and I also
15 think that, as I said in my written comments, Rule
16 201, I think, will deal with this quite nicely, and I
17 quoted a recent *Law Review* article by Jeff Bellin and
18 Andrew Guthrie Ferguson on this point, and I think it
19 does a really nice job of laying out why judicial
20 notice is actually a decent tool for these purposes.

21 CHAIR FURMAN: All right. Thank you.

22 John Siffert? Unmute.

23 MR. SIFFERT: You alluded to the fact that
24 you could speak more about Smith against Arizona and
25 the impact of conflicts. Understanding that Judge

1 Furman has extreme limits on how much I can let you
2 say, tell me what you can in the time that he will let
3 you about that because, I mean, the Seventh Amendment
4 stuff, the Sixth Amendment stuff are all issues for
5 me.

6 CHAIR FURMAN: I asked Professor Roth two
7 questions, so I'm going to give her a little extra
8 time and let Dan ask his question after as well, so go
9 ahead. Don't worry.

10 PROF. ROTH: Thank you, Mr. Siffert. I
11 would say I love the jury. I care deeply about the
12 Sixth and Seventh Amendments. I think the concern,
13 again, is that under the status quo, I think they're
14 giving the status quo too much credit. The concern is
15 that already you can bypass Daubert, you can bypass
16 this and that, the jury's determination of whether
17 this is reliable, by simply never opening up the hood
18 at all, and so this does not provide a new pathway for
19 bypassing adversarial scrutiny in any way if it just
20 adds Daubert.

21 With respect to Smith v. Arizona, you know,
22 the Supreme Court has made clear that Rule 703, which
23 only applies to human expert testimony, can't be used
24 to bypass the confrontation clause by somehow
25 suggesting that the basis for an opinion is not coming

1 in for its truth, which is the legal fiction
2 underlying Rule 703, which we all need to be able to
3 put on expert testimony, but the idea is, when that
4 underlining hearsay is testimonial, you've got to put
5 on some more witnesses. So nothing about this
6 proposal changes that in any way, you know, increases
7 or decreases the scope of the Sixth or Seventh
8 Amendment in any way. I'm happy to talk more about
9 that in public comments if it's something that's still
10 on the mind of the Committee.

11 CHAIR FURMAN: Thank you. Dan, last
12 question.

13 PROF. CAPRA: Well, first, I wanted to thank
14 you for all your help and actually for answering all
15 my emails. I thank you, Professor Roth.

16 PROF. ROTH: Thank you.

17 PROF. CAPRA: That's very nice. It's a
18 Herculean task. Just a couple thoughts. One is, you
19 know, we hear a lot about how expensive this is all
20 going to be, you know, where everybody's going to have
21 to litigate about the algorithms, and I guess, I think
22 I know what your answer is going to be, but you want
23 to talk about that, you know, this rule is going to
24 just create a lot of additional expenses?

25 PROF. ROTH: Yes. I don't think it will for

1 two reasons. First of all, again, I think what isn't
2 being talked about is the fact that judges are already
3 having to subject all of this stuff to Daubert
4 scrutiny. It's just that it typically involves a
5 human expert, and so any of these concerns about, oh,
6 we're going to have to get the underlying data and
7 disclose it and we're going to have to put on an
8 expert or whatever, nothing more or less than what
9 would be required normally if you put a human expert
10 on it.

11 All this is doing is taking analytical
12 opinions that are akin to human expert testimony, and
13 if you decide to sneak around Daubert by putting that
14 on without a human expert, we're saying no, actually,
15 Daubert still applies. That's all. And so it
16 shouldn't increase expenses, and, again, the beauty of
17 this is that since it only applies to those types of
18 opinions that are akin to human expert testimony, if
19 what you're doing is putting on electronically stored
20 information or something that is not akin to that sort
21 of analytical conclusion, or if you're putting on
22 something that's not subject to reasonable dispute
23 under 201, like the geolocation data example that's in
24 somebody's written testimony, it's not going to add to
25 the burden.

1 PROF. CAPRA: Okay. And then I just --
2 sorry. Just a quick follow-up if I may, Judge?

3 CHAIR FURMAN: You may.

4 PROF. CAPRA: About the 201 thing which
5 you've been talking about a lot, I've been doing some
6 work on 201 and looking at it in certain states, and
7 there's certain states, Florida and New York has it,
8 that have actually identified, for example,
9 geolocation data as in their rule, in other words,
10 added to 201, and to say, you know, this is something
11 that you take judicial notice. It's written in the
12 rule, and it occurs to me that, you know, turning to
13 201 actually might be a way, kind of a coordinated
14 effort, to actually put the two rules together if you
15 know what I mean, right? Like, if you've got a 201
16 exception in 707 and then you add more detail to what
17 could be covered by 201 in 201, that would actually be
18 helpful. Just a thought.

19 PROF. ROTH: That's a great point, and, you
20 know, I don't know how long the 201 amendment process
21 takes, but in the meantime, you could also put
22 something in this advisory note that adds a little
23 more meat to the bones of what we would anticipate
24 would come in under 201. Absolutely. Yeah.

25 CHAIR FURMAN: All right. Thank you,

1 Professor Roth, not only for responding to all of
2 Dan's many, I'm sure, emails but for your help
3 throughout this process. We appreciate it.

4 PROF. ROTH: Thank you.

5 CHAIR FURMAN: All right. Our next witness
6 also dropped out, Daniel Smulian, so we will proceed
7 with the witness after that, who is Kaitlyn Stone.
8 Ms. Stone?

9 MS. STONE: Hello, everyone. My name is
10 Kate Stone. I'm a litigation partner with Barnes &
11 Thornburg. I sincerely thank all of you for giving me
12 a portion of your time today, and we've already heard
13 from a number of illustrious practitioners that
14 covered some of the points that I had considered
15 addressing during my time today, so I'll avoid
16 repetition for all of our sakes and I'll endeavor to
17 be brief.

18 As an initial matter, I share the concerns
19 that have been shared by Mr. Redgrave and by Mr.
20 Rosenthal and others today that 707 may act as an
21 unintentional barrier to the admissibility of what is
22 reasonably considered routine evidence today, but with
23 my time, what I really want to look at is some of the
24 practical and potentially unintended consequences of
25 Rule 707 rooted in the day-to-day realities of

1 litigating a case and the nuts and bolts of how these
2 AI technologies work.

3 In my view, as drafted, Rule 707 could
4 require extensive reliability hearings, expert
5 disclosures, and, as noted by others, including Mr.
6 Friedman today, discovery on a scale that is much
7 greater than what is currently the norm, and while
8 some of the intent here is to foster transparency and
9 fairness, my fear is that the reality might ultimately
10 be a significant expansion of litigation overhead.

11 So, as this group is more than aware, AI
12 tools rely on vast amounts of training data, right?
13 This is much more vast than, say, what an expert might
14 review when rendering an opinion. Our experts are
15 thorough, but they aren't engaged in scraping and
16 training on a scale that these LLMs are capable of
17 achieving, and that training data that's used in these
18 AI models is often proprietary and confidential, and
19 so, for purposes of this discussion, let's say that
20 the AI tool involved is an LLM, and given their
21 extensive popularity, I think that's a good example.

22 LLM developers are loath to disclose their
23 training information for a variety of reasons, ranging
24 from IP protection to fears of inviting litigation, as
25 we've seen in the numerous trademark infringement

1 cases and other types of lawsuits that are currently
2 happening in the courts, and yet, under 707, the
3 proponent for machine-generated evidence created
4 through an LLM would need to establish that the LLM
5 output relied on sufficient facts and data, and,
6 pragmatically, that would be that training data, so
7 then the question becomes what discovery mechanisms
8 would permit the proponent of this evidence to make
9 the requisite showing.

10 A subpoena to the LLM developer would
11 obviously invite objections and most likely result in
12 motion practice. There can be little doubt the
13 information would not be given voluntarily, so the
14 proponent is left with little to no recourse to
15 demonstrate the requirements of 702(b), and the motion
16 practice will impose heavy burdens, both financial and
17 non-financial, on both the litigants involved and
18 ultimately on the courts.

19 Relatedly, the LLM developers are not going
20 to otherwise grant access to their proprietary
21 algorithmic models. Therefore, the proponent of the
22 evidence won't be able to demonstrate that the
23 outputs, right, that that machine-generated evidence
24 represents a reliable application of principles and
25 methods to the facts of the case. But putting all

1 that aside, even assuming that the proponent could
2 gain access to the algorithms of an LLM, they may
3 still be unable to meet the requirements of 702(d).

4 The understanding of how LLM algorithms work
5 even by experts in the field as we sit here today is
6 not complete. We all know about the black box
7 problem. We know that humans cannot always fully
8 explain all of the links in the chain between the user
9 input and the LLM's output, and this will only serve
10 to further hinder a proponent's ability to meet the
11 702(d) requirements.

12 And, finally, mindful of my time, indulge me
13 on one key point about how this technology functions.
14 We have to remember that many AI platforms respond to
15 their users. The developers of these AI tools are in
16 business and they rely on user satisfaction to drive
17 continued use of these models to generate revenue to
18 ensure customer satisfaction. The reality is that
19 some models tailor their outputs to what they learn
20 over time and over the course of various inputs what
21 the user wants to hear.

22 This user-introduced bias could arguably
23 implicate the reality of the outputs of the model, so,
24 therefore, the scope of discovery into these issues
25 could be expansive to the point of being pragmatically

1 infeasible. If the opponent of machine-generated
2 evidence seeks to discover all the prompts ever put
3 into the LLM by the user in an effort to to show that
4 the AI model has created a form of a profile on the
5 user knowing what drives that user's use of the model,
6 ultimately, you could argue that the outputs are not
7 objectively reliable.

8 And if every piece of machine-generated
9 evidence requires the disclosure of hundreds of
10 thousands or tens of thousands of chat histories,
11 courts and litigants are going to be bogged down in
12 disputes over the scope, over confidentiality
13 designation issues, over privilege logs and what have
14 you, and, ultimately, my fear is that this could be
15 tantamount to discovery on discovery or trial within a
16 trial, distracting the court and the parties from the
17 true substance of the case.

18 And so, for those reasons, mindful of my
19 time, seeing that there are some hands up, I would
20 urge the Committee to take the time to ensure that the
21 text of 707 matches the intent behind it.

22 CHAIR FURMAN: Thank you. Dan?

23 PROF. CAPRA: So your solution then would
24 just be to allow in all this LLM material because it's
25 so hard to actually litigate? Is that the solution?

1 MS. STONE: That, I do --

2 PROF. CAPRA: It's so hard to regulate and
3 that therefore --

4 MS. STONE: It is hard to regulate it, but I
5 do not think the solution is to throw up our hands and
6 say that it all necessarily comes in, but I do think
7 and I think somebody said this --

8 PROF. CAPRA: Well, what is the solution
9 then?

10 MS. STONE: I would say--

11 PROF. CAPRA: If it's -- sorry.

12 MS. STONE: No, no. That's all right.
13 That's all right. I think part of what we've seen in
14 some of the submissions where we start drawing the
15 line between what type of evidence we're really
16 getting to, right? Are we talking about are these
17 machine-generated opinions versus standard types of
18 evidence that we're already seeing, that delineation
19 starts to draw the line in between what some of these
20 complications might be and try to avoid some of these
21 unintended consequences.

22 CHAIR FURMAN: Jim Cooney?

23 MR. COONEY: Yeah, it seems to me that a lot
24 the problems you're describing in general is what gets
25 litigated at anyway in a lot of expert contexts, you

1 know, for instance, an expert on class and trying to
2 make some assumptions, so we're used to litigating
3 that anyway, and if we run into a situation where,
4 indeed, the large language model is tailoring, you
5 know, in general is capable of tailoring a response to
6 a small group of people and that affects reliability,
7 that needs to be put in front of a court and a court
8 can decide.

9 I mean, I've got a lot of confidence in our
10 judges to be able to decide (a) reliability and (b)
11 what the limits on discovery are going to be because
12 all the issues you're pointing out are, in general,
13 you don't need 10,000 outputs or inputs to know that
14 this large language model is going to tailor itself to
15 the kinds of inputs it gets. Nobody's talking about a
16 granular examination that we don't even do under Rule
17 702. I think the idea is to take opinions and make
18 sure we're treating opinions, whether by human beings
19 or by machine, in the same way, so I'd just like to
20 hear your response to that.

21 MS. STONE: Absolutely, and I do, I share
22 your faith in our judiciary's ability to navigate
23 this. I think the difference in what's happening
24 today versus what would happen when we get into these
25 AI-generated types of evidence is the scale, right?

1 Let's go back to the training as a specific example.
2 There is no expert on Earth who has the capability or
3 the lifespan to go and review and rely on in making an
4 opinion a scope of data that these LLMs are, and so,
5 when it comes to the court's ability to address these
6 issues, we absolutely have the skill sets and we have
7 the ability, and you're right that it's happening
8 today in another context.

9 The difference is the scale on which it
10 needs to happen, the scale of the analysis and all
11 that not only the LLM, for example, might be
12 considering but then, by extension, the judge has to
13 consider in reaching these conclusions, so I would say
14 in the simplest of answers to what is ultimately a
15 really complex question, I would say that the
16 difference I see is the scale, and we need a rule that
17 is nuanced enough to account for the difference in
18 that scale that we're seeing.

19 CHAIR FURMAN: Thank you. Unless there are
20 further questions, I want to thank you for your
21 thoughtful remarks, and we will turn to the next and
22 second-to-last witness, Tad Thomas.

23 MR. THOMAS: Thank you. Thank you for the
24 opportunity to comment on the proposed rule. My name
25 is Tad Thomas, and I am a past president of the

1 American Association for Justice, and I'm the current
2 Chair of AAJ's Legal Affairs Committee, which oversees
3 our positions on the rules amendments as well as our
4 amicus curiae program. I'm also the founder of Thomas
5 Law Offices. My law firm tries trucking, nursing
6 home, medical malpractice, and other personal injury
7 cases all across the country. I also frequently
8 lecture to attorneys in CLEs on technology and the
9 practice of law, including AI.

10 AAJ appreciates the Committee's thoughtful
11 work in identifying what could potentially be an
12 emerging evidentiary issue, but like many of the
13 witnesses today, our concern is not with the stated
14 objectives but whether the current draft of Rule 707
15 is the most effective way to achieve those objectives.
16 As written, the rule may reach beyond the specific
17 category of machine learning while still leaving the
18 courts with uncertainty of how and when the rule
19 should apply. I want to briefly highlight a couple of
20 concrete trial examples just to illustrate why this
21 matters in practice.

22 In modern litigation, almost all evidence is
23 machine-generated in some sense. The Committee's
24 explanatory materials focus on outputs that draw
25 inferences or make predictions, but the rule itself

1 does not draw that same line. For example, in
2 trucking litigation, one of the most common categories
3 of evidence we use is electronic logging data. These
4 logs track a driver's hours of service and their
5 compliance with federal safety regulations. The data
6 is generated by a combination of both driver input and
7 automated electronic recording, but it's routinely
8 admitted in courts through lay witnesses, whether it's
9 drivers, safety directors, or corporate records
10 custodians.

11 Under the proposed rule, we believe that
12 parties and courts may reasonably ask whether those
13 electronic logs are now considered machine-generated
14 evidence and subject to Rule 707. If so, the courts
15 could be faced with arguments that expert testimony is
16 required to establish the reliability of logs that
17 have long been treated as ordinary business records or
18 foundational evidence. That is not because the data
19 has changed but because the rule's terminology
20 potentially sweeps it in.

21 Another similar example, and it was given
22 earlier today, is medical records. Modern medical
23 records are almost entirely electronic. Whether it's
24 vital signs, medication administration records, lab
25 results, time stamps, and monitoring data, they're all

1 generated and stored by computerized systems. These
2 records are routinely introduced through custodians or
3 treating providers without the need for expert
4 testimony on how the software for the provider, how
5 their systems function.

6 Again, it's not whether that evidence is
7 reliable, but the breadth of the term machine-
8 generated evidence could invite challenges to records
9 that courts have generally treated as reliable in the
10 past. The exception for simple scientific instruments
11 may not clearly cover these systems because they are,
12 in fact, very complex.

13 Finally, courts already have the tools to
14 address situations where technical or analytical
15 output crosses the line into expert opinion. Rules
16 701 and 702 prevent parties from offering opinions
17 requiring specialized knowledge through lay witnesses.
18 Authentication rules often require a qualified witness
19 when accuracy depends on a technical process.

20 In both trucking and medical cases, courts
21 routinely distinguish between factual records
22 generated in the ordinary course of business and
23 expert opinion derived from analyzing those records,
24 and that distinction has worked well. We're afraid
25 that proposed Rule 707 may blur that, particularly if

1 courts feel compelled to conduct new reliability
2 analyses for ordinary electronic records.

3 AAJ urges the Evidence Committee to
4 reconsider what type of rule is needed to address
5 concerns regarding machine learning and AI and focus
6 the rules specifically on those issues.

7 I'm happy to answer any questions.

8 CHAIR FURMAN: Thank you. I wanted to ask a
9 question about an example that you give in your
10 written submission which I think also points to what I
11 think may be a problem with your alternative language
12 about routinely used to produce or generate an output.
13 You referenced the fact that GPS -- well, you cite
14 that rideshare services sometimes think that a rider
15 is inside a building or a couple blocks away or what
16 have you because geolocation data isn't necessarily
17 reliable.

18 You seem to presume then that that should
19 come in without the need to show reliability or have a
20 hearing, but why is that the case? That is to say,
21 the fact that it's routine -- we also, as the comment
22 reflects, that routinely has reliability problems.
23 Why shouldn't that be subject to some sort of
24 reliability scrutiny before it's admitted in court?

25 MR. THOMAS: I think only where it needs to

1 be challenged. I think, in the instance of GPS data
2 specifically, you know, I specifically have not dealt
3 with GPS data. That was an example given by one of
4 our members, but we believe that the GPS data, to your
5 example, it is not routine. It should only come in
6 when challenged, when there is some question as to
7 whether or not there is a reliability analysis.

8 CHAIR FURMAN: Okay. Other questions?

9 (No response.)

10 CHAIR FURMAN: All right. Thank you very
11 much. And that brings us to our last and final
12 witness, Lauren Yu.

13 MS. YU: Thank you for the opportunity to
14 testify today regarding the proposed new Rule 707. My
15 name is Lauren Yu, and I'm here on behalf of the
16 American Civil Liberties Union. As laid out in our
17 written testimony, the ACLU supports the Committee's
18 objectives in crafting Rule 707 and taking on the task
19 of addressing challenges posed by determining the
20 reliability and therefore admissibility of outputs
21 generated by machine learning or artificial
22 intelligence systems.

23 We agree that if scientific, technical, or
24 other specialized knowledge will help the trier of
25 fact understand the machine-generated evidence that is

1 being offered, then the trier of fact must be
2 presented with that specialized knowledge. But, like
3 many of the other commentators today, we believe that
4 a modified version of the rule would better achieve
5 those objectives. The current version of proposed
6 Rule 707 contemplates the possibility of admitting
7 machine-generated evidence without expert testimony,
8 and although the Committee's note says that it is
9 expected to be very difficult, if not impossible, to
10 not use an expert, the proposed rule's text may not be
11 interpreted that way in practice.

12 So our suggestion is to make clear that an
13 expert witness is required if the machine-generated
14 evidence would otherwise require an expert or if
15 determining the reliability of the evidence would
16 require an expert, and there should be no suggestion
17 that machine-generated evidence could be admissible
18 without proffering an expert witness. I'll note here
19 that Professor Capra did email me yesterday to point
20 out that the proposed language in our written
21 testimony may introduce some redundancy, so I just
22 want to make clear that the crux of our suggestion is
23 the requirement for an expert witness.

24 The need for this can be seen, as others
25 have noted, in criminal cases when prosecutors seek to

1 admit evidence through police officers testifying that
2 they have used an investigative tool even though they
3 have no knowledge of how that tool works or similarly,
4 in civil rights cases, if the government seeks to
5 admit that kind of evidence to argue that there was
6 probable cause as defense to, say, a Fourth Amendment
7 claim.

8 In our written testimony, we focused on
9 facial recognition and gunshot detection as examples
10 of unreliable technologies where, under the current
11 language of proposed Rule 707, the use of lay
12 witnesses or marketing materials might allow a party
13 to bypass the standards of Rule 702.

14 In our experience, law enforcement
15 personnel, including personnel that operate the
16 technology or IT personnel, have limited knowledge of
17 how the underlying technology works when it comes to
18 tools that use machine learning or otherwise generate
19 machine-based predictions. All they can testify to is
20 that they use the device as they were trained, and
21 then the only other information that's available is
22 potentially unreliable advertisements from the for-
23 profit company that sold the software to law
24 enforcement.

25 And, of course, those aren't the only

1 technologies that illustrate this concern. The ACLU's
2 litigation and advocacy have raised concerns about
3 other examples. You know, take cell phone location
4 data, which has come up a few times today already.
5 Cell phones and other devices have several methods at
6 their disposal for estimating a device's location, but
7 that resulting location information may not be
8 accurate. Skyscrapers can block GPS signals, network
9 conditions can affect cell towers, and users can use
10 VPNs to mask their location when surfing the Internet,
11 and just because that location information might be
12 sufficiently accurate enough for advertisers doesn't
13 mean it's accurate for law enforcement, and yet police
14 still continue to present this kind of information as
15 fact when it's not.

16 You can also think about automated license
17 plate readers that also have accuracy problems. The
18 list goes on and on. We also agree with the other
19 commentators that the simple scientific instruments
20 language is unnecessary and creates more room for
21 confusion. We think that Rule 702's language around
22 scientific, technical, and other specialized knowledge
23 is sufficient to determine when an expert witness
24 should be required for proffering machine-based
25 evidence instead of introducing new terms to draw that

1 line, and we also think that the suggestions that came
2 out earlier after Professor Roth's testimony about
3 maybe an amendment to Rule 201 could be appropriate
4 here.

5 Thank you, and I welcome the Committee's
6 questions.

7 CHAIR FURMAN: All right. Dan?

8 PROF. CAPRA: Yeah, I just wanted to
9 articulate this discussion that we had for the record,
10 Ms. Yu, with the idea of, like, an absolute expert
11 requirement. I was concerned that, you know, the
12 rule's got to stand the test of time and at some time
13 there might be situations in which you could, I mean,
14 as things develop, in which AI could be, I don't know,
15 self-verifying or something. I mean, who knows? I
16 don't know, and so you would be saddled with a rule
17 that required an expert where it wouldn't be required.
18 I wanted to know if you could give your thoughts on
19 that.

20 MS. YU: Yeah, I am skeptical about there
21 being self-verifying AI that would be good enough for
22 the purposes of being confident that evidence would
23 actually be reliable. I think even in the cases of if
24 there are numerous studies or something showing that a
25 technology may seem reliable, still trying to

1 understand how the study was conducted, the
2 methodology behind that study, whether or not the way
3 that accuracy is calculated or the setup that they
4 used to test the technology, all of that is
5 information that I think, in most cases, a fact finder
6 would want an expert to help bridge the gap of what
7 do, you know, these potential, like, mathematical
8 calculations mean or the scientific methodology behind
9 sort of written or, you know, pre-written verification
10 material.

11 And then there's also just bridging the gap
12 too of, even if the technology -- like, if, say, the
13 software is implemented in a way that accurately
14 performs mathematical calculations, that doesn't
15 necessarily speak to whether that is an appropriate
16 use for the situation at hand, so kind of talking
17 about, like, you know, with location information,
18 sure, it might be good enough for marketers,
19 apparently not good enough for Lyft. Is it good
20 enough then for police to use as a basis for probable
21 cause?

22 And I'll admit that I haven't, you know,
23 looked too deeply into some of the newer models that
24 claim to be self-verifying. I know, Professor Capra,
25 you sent me an example that was in a different written

1 submission from another commentator where they talk
2 about, like, the cryptographic proof that the model
3 executed what it did, like, as it said it did, and to
4 me, that kind of proof, if we can call it proof, would
5 go more to the question of authenticity rather than
6 reliability. Like, it's still not answering whether
7 or not the use of that technology was appropriate
8 because of its reliability to whatever situation is at
9 hand.

10 PROF. CAPRA: Thanks.

11 CHAIR FURMAN: Thank you. Other questions
12 for Ms. Yu?

13 PROF. CAPRA: Well, if they don't, I just
14 guess I would say, you know, from your perspective, it
15 makes sense for everybody to have an expert. I guess,
16 from a plaintiff's perspective, what they would be
17 concerned about is, you know, expense, so there's a
18 lot of interests around that have to be thought about,
19 right?

20 MS. YU: Yeah, I mean, absolutely. You
21 know, I think that others have brought up concerns
22 with, you know, how this might increase expenses and,
23 you know, access-to-justice concerns around that, but
24 I also think the earlier discussion of, you know, that
25 this isn't -- it's not new, right? Like, we already

1 require experts for all kinds of other evidence too,
2 and so just the mere introduction of a requirement or,
3 like, bolstering this requirement for an expert isn't
4 some kind of new burden that has never been
5 encountered before from that perspective and that
6 having experts or counter-experts as well to hash out,
7 trying to think like maybe drug evidence or something
8 like that, that, like, this has come up before.

9 And I think also the point that one of the
10 Committee members brought up earlier about how, you
11 know, like, I think we can also rely on our judges to
12 constrain the scope of discovery as well. You know,
13 obviously, yes, like, machine learning takes lots of
14 data, and training data could be useful to
15 interrogating reliability, but also, there might be
16 other indicators too, especially if you think about
17 independent studies or audits that look at these
18 models. They don't necessarily have to look at the
19 data. I mean, you know, a large part of what we care
20 about in terms of reliability and accuracy is how
21 these models perform, right, and you don't necessarily
22 need to review thousands upon thousands of documents
23 that no one would ever have the amount of time to
24 review.

25 There might also be other ways too of

1 getting sort of the relevant information about a
2 training data set, right? Maybe through
3 interrogatories and through depositions, understanding
4 sort of categories of what kinds of documents went
5 into the training data or other relevant metrics that
6 might be relevant to, like, for example, with facial
7 recognition. If knowing that all of the faces in the
8 training database were white, like, that says
9 something versus if it's a more diverse group of
10 faces, but you wouldn't have to review, you know, a
11 million pictures to be able to get that information.

12 CHAIR FURMAN: Thank you. I think the final
13 question goes to Betsy Shapiro, who has raised her
14 hand. Go ahead, Betsy.

15 MS. SHAPIRO: Hi. Thanks. I just had two
16 quick questions to try to understand better the scope
17 of the perceived problem. I guess, if a lay witness
18 comes in and tries to testify to the output of, let's
19 say, facial recognition technology, like, wouldn't you
20 object or do you object?

21 MS. YU: I mean --

22 MS. SHAPIRO: Why don't you object and argue
23 to the court that an expert is required?

24 MS. YU: I think we would, but I think that
25 just sort of -- like, we think that making sure or

1 bolstering this -- I think -- so sorry. Our position
2 would be that even under the current rules, an expert
3 should be required. Like, we don't think that this
4 kind of -- especially in the case of facial
5 recognition, which we've long argued is highly
6 unreliable for many reasons, you know, we don't think
7 that that should be admitted, period, but --

8 MS. SHAPIRO: So let me just ask you a
9 follow-up. Like, so you object, and let's say the
10 judge says, I think this is a common thing that is
11 accepted and can come in, you know, with a lay
12 witness. Under that circumstance, 707 wouldn't apply,
13 right, because it's not something that's required.
14 The judge has determined it doesn't require an expert,
15 so 707 doesn't apply. I mean, it sounds to me
16 circular, so I'm just trying to understand what gap
17 we're actually closing.

18 MS. YU: Yeah, I think the gap we're closing
19 is that if we have a rule that says that an expert
20 witness is required, then the judge wouldn't be able
21 to rule in that case then that a lay witness could
22 just proffer that evidence.

23 MS. SHAPIRO: Why?

24 MS. YU: Well, if the rule requires it, then
25 that would be --

1 MS. YU: But wouldn't the judge be able to
2 decide that he could take judicial notice of that
3 technology and, therefore, it doesn't require an
4 expert and, therefore, 707 doesn't apply?

5 MS. YU: But I think that would be after
6 invoking -- so that decision then would be the result
7 of probably invoking 707. We say we object. Under
8 Rule 707, we think that this kind of output requires
9 scientific, technical, or other specialized knowledge
10 to understand either the output itself or, probably
11 more relevantly to this, the reliability, like the
12 underlying reliability of the technology. We present
13 those arguments, and then, yeah, and then, I guess, in
14 your scenario, the judge at the end of that would rule
15 that they think that no expert is necessary, Rule 707
16 doesn't apply. I think you're right. In that
17 scenario, Rule 707 doesn't apply. I think we would
18 probably then appeal and say, oh, we think that ruling
19 is incorrect, right? I mean --

20 MS. SHAPIRO: But you could do that now. I
21 guess, that's what I'm -- I mean, I'm just thinking
22 through what -- I know Professor Roth and others
23 talked about this being a very narrow rule that
24 bridges, you know, a small gap, and I'm just trying to
25 identify what that gap is.

1 MS. YU: Yeah. I think our intent is to
2 just -- again, like, I think we believe under the
3 current rules that, like, this should -- like, this
4 kind of evidence should have an expert, but just we
5 want to shore up that gap in which, you know, people
6 might not think it does, and also, I think part of our
7 suggestion too is, you know, not only if scientific or
8 specialized knowledge is needed to understand the
9 output but also to understand determining the
10 reliability, and so, like, yeah, looking at those
11 scientific studies, like, we're just -- I think, you
12 know, we want to bring more attention to that and just
13 shore up the requirement for an expert where, under
14 the current rules, people might be interpreting it in
15 ways that, you know, we disagree with as the ACLU, but
16 I'm not going to say that no one's interpreting it
17 differently than we are.

18 MS. SHAPIRO: Thank you.

19 CHAIR FURMAN: All right. Thank you. Thank
20 you, Ms. Yu. That concludes all of our witnesses
21 today. A few things in closing, first and foremost,
22 just want to give some thanks. I want to thank the
23 Rules Committee staff for all their assistance in
24 ensuring that this hearing and the hearing a couple
25 weeks ago went smoothly and for all the work they do,

1 especially Shelly Cox, who I think is participating
2 today despite being under the weather, so thank you
3 all.

4 Second, I want to thank members of the
5 Committee for participating, and those who were not
6 able to participate today I'm sure will read the
7 transcript so that they can get the input from our
8 witnesses as well.

9 And, finally, I really want to thank the
10 witnesses for your helpful and thoughtful remarks. I
11 will reiterate what I said before, which is, when we
12 put this out for public comment, I mean, in general,
13 putting things out for public comment is, you know,
14 intended to elicit comments and helpful thoughts for
15 our consideration and all the more so here because
16 we're dealing with a complicated and new area and
17 changing area and there's a lot to think about, so I
18 think the witnesses today, both in their written
19 submissions and oral testimony, have given us a lot to
20 think about.

21 We will certainly be thinking about it and
22 take it up at our spring meeting, which is scheduled
23 for May 7 in Washington, D.C. That, as with all of
24 our meetings, is public, so those who testified or
25 otherwise, you're certainly welcome to observe and see

1 where we are at that time.

2 Carolyn, I think that's all that I needed to
3 say by way of wrapping up, but let me check with you.

4 MS. DUBAY: Yes, yes, absolutely.

5 CHAIR FURMAN: Wonderful. So stay safe and
6 warm. Some bad weather coming to some parts of the
7 country in the next couple days. So, with that, we
8 are adjourned. Thank you all for joining. Bye-bye.

9 (Whereupon, at 12:26 p.m., the meeting in
10 the above-entitled matter was adjourned.)

11 //

12 //

13 //

14 //

15 //

16 //

17 //

18 //

19 //

20 //

21 //

22 //

23 //

24 //

25 //

1 //

2 //

REPORTER'S CERTIFICATE

DOCKET NO.: N/A


CASE TITLE: Meeting of the Advisory Committee on
Evidence Rules Re: Proposed Amendments
to Rule 609 and New Rule 707

HEARING DATE: January 29, 2026

LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the Administrative Office of the U.S. Courts.

Date: January 29, 2026



Charles Morrison
Official Reporter
Heritage Reporting Corporation
Suite 305
1150 Connecticut Avenue, N.W.
Washington, D.C. 20036